

CANADA

PROVINCE OF NOVA SCOTIA

IN THE MATTER OF THE
FATALITY INVESTIGATIONS ACT
S.N.S. 2001, c. 31

THE DESMOND FATALITY INQUIRY

TRANSCRIPT

HEARD BEFORE: The Honourable Judge Warren K. Zimmer

PLACE HEARD: Port Hawkesbury, Nova Scotia

DATE HEARD: March 11, 2021

COUNSEL: Allen Murray, QC, Inquiry Counsel
Shane Russell, Esq., Inquiry Counsel

Lori Ward and Melissa Grant,
Counsel for Attorney General of Canada

Glenn R. Anderson, QC, and Catherine Lunn
Counsel for Attorney General of Nova Scotia

Thomas M. Macdonald, Esq., and
Thomas Morehouse, Esq.
Counsel for Richard Borden, Thelma Borden and
Sheldon Borden
Joint Counsel for Aaliyah Desmond

Tara Miller, QC,
Counsel for Estate of Brenda Desmond
(Chantel Desmond, Personal Representative)
Joint Counsel for Aaliyah Desmond

Adam Rodgers, Esq.
Counsel for Estate of Lionel Desmond
(Cassandra Desmond, Personal Representative)

Roderick (Rory) Rogers, QC, Karen Bennett-Clayton
and Daniel MacKenzie,
Counsel for Nova Scotia Health Authority

Amy MacGregor
Counsel for Dr. Faisal Rahman and Dr. Ian Slayter

INDEXMarch 11, 2021PageDR. ABRAHAM RUDNICK

Direct Examination by Mr. Murray	6
Cross-Examination by Ms. Ward	100
Cross-Examination by Mr. Morehouse	111
Cross-Examination by Ms. Miller	116
Cross-Examination by Mr. Rodgers	124
Examination by the Court	154

PATRICK DAIGLE

Direct Examination by Mr. Russell	158
Cross-Examination by Ms. Grant	245
Cross-Examination by Ms. Miller	248
Cross-Examination by Mr. Rogers	266

EXHIBIT LIST

<u>Exhibit</u>	<u>Description</u>	<u>Page</u>
P-000259	<i>Curriculum Vitae</i> of Dr. Abraham Rudnick	7
P-000261	Nova Scotia OSI clinic materials	19
P-000264	Telehealth and Remote Mental Health Services	39
P-000265	Schedule A - Shifting to Remotely Delivered Mental Health Care	48
P-000260	<i>Curriculum Vitae</i> of Patrick Daigle	159
P-000267	OSI Clinic - Operational Agreement	267

1 **March 11, 2021**

2 **COURT OPENED (09:30 HRS.)**

3

4 **THE COURT:** Good morning.

5 **COUNSEL:** Good morning, Your Honour.

6 **THE COURT:** Mr. Murray? We have?

7 **MR. MURRAY:** Yes, Your Honour. First witness is Dr.

8 Rudnick.

9 **THE COURT:** Good morning, Dr. Rudnick. How are you
10 today? Can you hear?

11 **THE CLERK:** I think you have us on mute.

12 **THE COURT:** Oh. Can you take yourself off mute?

13 All right. Thank you. Good morning, Dr. Rudnick. How are
14 you today?

15 **DR. RUDNICK:** Good morning. I'm fine. Thank you.

16 **THE COURT:** All right. Thank you.

17 Before we begin, we would normally swear a witness before
18 we hear their testimony. You'll be given some options in a
19 moment, Doctor.

20

21

22

1 **DR. ABRAHAM (RAMI) RUDNICK, affirmed, testified:**

2 **THE COURT:** Thank you. Mr. Murray?

3 **MR. MURRAY:** Thank you.

4

5 **DIRECT EXAMINATION**

6

7 **MR. MURRAY:** Good morning, Dr. Rudnick.

8 **A.** Good morning.

9 **Q.** Dr. Rudnick, as we go through the questions this
10 morning if at any point you have difficulty hearing me, or if I
11 have difficulty hearing you, we'll just let the other know and
12 we can try to remedy the problem. Okay?

13 **A.** Yes, thank you.

14 **Q.** Dr. Rudnick, would you please tell the Inquiry your
15 full name?

16 **A.** My name is Abraham Rudnick.

17 **Q.** And just so I'm ... I want to be correct. Your last
18 name is pronounced ROOD-nik, is it?

19 **A.** Yes, correct.

20 **Q.** All right. Thank you, Dr. Rudnick. Doctor, you are
21 the clinical director of the Nova Scotia Operational Stress
22 Injury Clinic in Dartmouth, Nova Scotia. Is that correct?

1 **A.** Yes, correct.

2 **Q.** That's primarily, obviously, what I want to ask you
3 about today, your work there, but we do have your CV as an
4 exhibit and it's marked as Exhibit 259. Perhaps we'll just
5 bring it up on the screen and you can identify it as your CV.
6 It should come up in a moment there. I appreciate this is ...

7 **EXHIBIT P-000259 - CURRICULUM VITAE OF DR. ABRAHAM RUDNICK**

8 **A.** Correct. That is my CV.

9 **Q.** Very good, and this is dated March 1st, 2020. That's
10 your most recent CV, is it, or ...

11 **A.** No, I have a more current CV. It's updated ongoing,
12 but for relevant purposes for today there's no major change in
13 my CV.

14 **Q.** Thank you. And, Dr. Rudnick, I'm just going to ask
15 you a couple of questions about your very extensive experience
16 and education. Your CV is lengthy and very impressive, but
17 there are certain portions of it that are particularly relevant
18 for us today. You are a certified psychiatrist are you, Dr.
19 Rudnick?

20 **A.** Correct, I am.

21 **Q.** And I understand, if I'm reading correctly, that you
22 have a Doctorate in Philosophy?

DR. ABRAHAM RUDNICK, Direct Examination

1 **A.** I do.

2 **Q.** Would it be fair, then, to also call you a bio-
3 ethicist? Is that a fair term?

4 **A.** I have done teaching, research, and service
5 development in ethics, and occasionally I have also consulted on
6 ethics. Not as an appointed ethicist but as part of committee
7 work.

8 **Q.** I see. And currently you ... apart from your work as
9 the clinical director at the OSI clinic you're also a professor
10 in the Department of Psychiatry at Dalhousie University? Is
11 that correct?

12 **A.** Correct.

13 **Q.** And also I see you are cross-appointed as a professor
14 in the School of Occupational Therapy, also at Dalhousie?

15 **A.** Yes, correct.

16 **Q.** And so, Doctor, you have a number of other
17 appointments and a number of other positions that you currently
18 hold or have recently held. Just to go back a bit. Your
19 medical training originally you received in Israel. You
20 received your medical degree there, did you?

21 **A.** Yes, I did.

22 **Q.** And your training as a psychiatrist also in Israel?

DR. ABRAHAM RUDNICK, Direct Examination

1 **A.** Correct. Tel Aviv Mental Health Centre and University
2 of Tel Aviv.

3 **Q.** Very good. And you came to Canada in what year?

4 **A.** So first time was 1999 as a fellow at the University
5 of Toronto for two years.

6 **Q.** Yes.

7 **A.** And then the second time to date was 2004.

8 **Q.** I see. And your current work as the clinical director
9 at the OSI clinic, when did you begin that work?

10 **A.** October 2018.

11 **Q.** Okay. So it is that particular capacity that I ...
12 that is of clinical director at the OSI clinic that I want to
13 ask you a number of questions today.

14 At the outset, just to clarify, you personally, I
15 understand, did not have any contact with or involvement in
16 Lionel Desmond's file. Is that correct?

17 **A.** Correct.

18 **Q.** And you never met or treated Cpl. Desmond?

19 **A.** Correct.

20 **Q.** All right. So, Dr. Rudnick, you said that you have
21 been the director at the OSI clinic since 2018. Are you able to
22 tell us how long the OSI clinic in Nova Scotia has been open?

DR. ABRAHAM RUDNICK, Direct Examination

1 **A.** To my knowledge, our clinic has been opened since 2016
2 for clinical work. It was developed a bit before that, to my
3 knowledge, from 2015 but open to the public to provide service
4 since 2016.

5 **Q.** Okay. You personally have, obviously, some interest
6 in the work that's done by the OSI clinic since you've come to
7 work there. How did you come to have that interest or come to
8 want to work at the OSI clinic?

9 **A.** So I can give a very brief introduction to my
10 background with operational stress injury. So in Israel I
11 practised for a few years as a military physician, general
12 practitioner, before I started my specialty in psychiatry
13 training. That was my introduction to work with people who have
14 operational stress injuries in Israel. Then when I specialized
15 in psychiatry in Tel Aviv the center I specialized in had a
16 partial focus on operational stress injuries, specifically
17 military veterans of the Israel Defense Forces. And so part of
18 my training was with that population.

19 And then in the last few years since I've moved to Nova
20 Scotia to work with Operational Stress Injury Clinic I've been
21 focussing pretty much exclusively clinically on the population
22 with operational stress injuries in Nova Scotia.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** I see. Okay. And perhaps you can help us a little
2 bit by way of introduction, just first of all so that we have
3 our terminology correct. The clinic in Nova Scotia is the
4 Operational Stress Injury Clinic. Is that correct?

5 **A.** Correct.

6 **Q.** We have been using the terms "occupational stress
7 injury" and "operational stress injury" throughout this Inquiry.
8 Can you help us to differentiate those two terms?

9 **A.** Yes. First I need to remark that both are not formal
10 diagnostic classifications, at least in psychiatry, but they are
11 quite helpful and used often. And so an operational stress
12 injury is a mental health challenge, to use a broad term, that's
13 related to a person's military or police, specifically RCMP,
14 service in Canada.

15 An occupational stress injury would be a broader set of
16 categories that include other types of professions and their
17 impact on the workers' mental health.

18 **Q.** Okay, so operational stress injury, then, is a subset
19 of occupational stress injury, is that correct?

20 **A.** Correct. That's my understanding.

21 **(09:40)**

22 **Q.** Okay. To your knowledge, are there other OSI clinics?

DR. ABRAHAM RUDNICK, Direct Examination

1 We've heard, obviously, about the OSI clinic in New Brunswick.

2 Are there other OSI clinics across Canada?

3 **A.** There are. In most provinces.

4 **Q.** Okay, and to your knowledge, and I appreciate you only
5 work in Nova Scotia, but to your knowledge, do they do the same
6 kind of work across Canada?

7 **A.** Yes, the operational stress injury clinics across
8 Canada are mandated to do a particular type of work. There is
9 variation - that is understandable - across the provinces in the
10 way that these clinics operate and some of their additional foci
11 in addition to the core mandate of Veterans Affairs Canada.

12 **Q.** Okay. The Operational Stress Injury Clinic in Nova
13 Scotia. Can you, first of all, give us a general sense of the
14 type of work that you do there and the type of patients that you
15 see?

16 **A.** So the two main types of work we do at the Operational
17 Stress Injury Clinic are assessments and interventions, and so
18 assessments would, for example, include disability assessments,
19 treatment assessments, both and some other types of assessments
20 such as second opinions as requested by a referral source.

21 Interventions would include various types of
22 psychotherapies, medication prescription, occupational therapy,

DR. ABRAHAM RUDNICK, Direct Examination

1 and that includes a variety of interventions with that and a few
2 other types of interventions, both individual and group
3 interventions.

4 **Q.** All right. And you said that the operational
5 component, I guess, relates to military service or police
6 service. Can you give us a sense of where your clients or
7 patients come from and their makeup.

8 **A.** So our referral sources are Veterans Affairs Canada
9 and RCMP Health Services. Occasionally we have direct referrals
10 from other operational stress injury clinics in the country if a
11 client is moving from one province or territory to Nova Scotia.
12 But formally, that referral would still go through the formal
13 referral source. So if the client is still an active RCMP
14 member, then we would ask the local health services of RCMP to
15 process that referral, and the same with the military veteran.
16 We'd ask the Veterans Affairs Canada to process that referral.

17 And that's not just for processing. It's also to ensure
18 that clients would not fall between the cracks, that they would
19 have a local in Nova Scotia, a referral source that can also
20 help them navigate the system.

21 **Q.** Okay. So I will ask you some questions about the
22 referral process, but the people that you see primarily, then,

DR. ABRAHAM RUDNICK, Direct Examination

1 are veterans and RCMP officers? Do I understand that correctly?

2 **A.** Those are the two main populations. We have a small
3 population of Canadian Armed Force members who are still serving
4 who are planned to be released from the military, typically
5 because of health reasons, and they may have just done an
6 assessment - let's say at the military clinic - and with ...
7 because they're expected to be released in a few months it does
8 not make sense to only involve ... that they start their care at
9 the military clinic and within a few months shift their care to
10 our clinic and, therefore, even though they're still serving, we
11 start to provide their services and connect with that military
12 clinic.

13 **Q.** Okay. So some that are transitioning out of the
14 Canadian Armed Forces but primarily veterans, and on the police
15 side it's RCMP officers because they're Federal employees and
16 you're funded by the RCMP in part, is that correct?

17 **A.** Correct, and they could be RCMP serving members or
18 retired or released ... medically released and they ... I would
19 think that perhaps the manager of the clinic, Patrick Daigle,
20 who will be testifying later, could speak about the actual
21 funding streams for that. But I could speak about that
22 generally if need be.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** Okay. No, that's fair. So apart from RCMP members,
2 though, you don't treat other municipal police officers, only
3 RCMP, is that correct?

4 **A.** We do not.

5 **Q.** Okay. Apart from VAC, that is veterans, some Canadian
6 Armed Forces members and RCMP members are there anyone else that
7 might be referred to your clinic or is that basically it?

8 **A.** So we also treat, according to need and if approved by
9 the referral source, family members, broadly defined, of clients
10 of ours. But those family members have to already have a client
11 who is being served at our clinic and then we, or sometimes
12 private providers as referred by the referral source, could
13 treat the family member if there is clarity that mental health
14 care for the family member could improve the mental health of
15 the client at the clinic.

16 **Q.** I see. So it has to be related to the mental health
17 of your client treating the family member.

18 **A.** Correct.

19 **Q.** All right. The number of clients that you have, let's
20 say, right now ... first of all I'll ask you. Is the
21 Operational Stress Injury Clinic an inpatient facility at all?

22 **A.** No, it is not. All operational stress injury clinics

DR. ABRAHAM RUDNICK, Direct Examination

1 in Canada are outpatient/ambulatory services and not authorized
2 to provide urgent care.

3 Q. Okay. So no inpatient beds, I guess we would say.
4 How many outpatient clients would you have presently?

5 A. Approximately 200. The last count in the last
6 quarter, so end of December 2020, was 206 active clients.

7 Q. Okay. And the Operational Stress Injury Clinic is
8 located in Dartmouth, Nova Scotia. I'm correct on that, am I?

9 A. Correct. The main site is in Dartmouth. We have a
10 satellite site in Cape Breton in Sydney, and especially in the
11 last year or so with the current pandemic we've been doing a lot
12 of remote care through online care. And so we provide service
13 across the Nova Scotia province.

14 Q. Do you have a physical location, the sub-office that
15 is, in Cape Breton?

16 A. Correct.

17 Q. Okay. Now you are the clinical director of the
18 Operational Stress Injury Clinic. Can you give us a sense of
19 what your duties are as the clinical director?

20 A. So as the clinical director I'm expected to run ...
21 also provide clinical service, which I do, to ensure quality of
22 care at the clinic and as part of that service development and

DR. ABRAHAM RUDNICK, Direct Examination

1 quality improvement and to facilitate academic work, which would
2 be promoting evidence-based care for our clients. And so that
3 is where my academic affiliation ties in as a professor who does
4 teaching and research.

5 Q. So as part of your role as clinical director are you
6 also expected to do research into evidence-based methods for
7 treatment?

8 A. That is part of my contract.

9 Q. All right. Now you said that ... I think when we
10 spoke earlier that the management of the OSI Clinic, it's a co-
11 leadership model? Is that ... do I have that correct?

12 A. Correct. So I co-lead the clinic with Patrick Daigle,
13 the manager, and recently since January 2021 we have a third
14 person join our clinic leadership who is a clinical team leader,
15 a social worker who provides half-time of her work, clinical
16 services direct to clients and half-time as a clinical team
17 leader.

18 Q. So there is you as clinical director, Mr. Daigle, who
19 is going to testify, and he is the health services manager? Do
20 I have that title correct?

21 A. Correct.

22 Q. Which would be more ... and obviously he will testify.

DR. ABRAHAM RUDNICK, Direct Examination

1 But more on the administrative side of things.

2 **A.** Yes.

3 **Q.** And then you said that the recently recruited clinical
4 team leader, who is a social worker, who is that person who is
5 filling that role right now?

6 **A.** Her name is Jessica Heidebrecht.

7 **Q.** Okay, and Ms. Heidebrecht, I'm sorry, what were her
8 responsibilities as clinical team leader?

9 **(09:50)**

10 **A.** So half of her position is to continue to provide
11 clinical service direct, specifically psychotherapies, and the
12 other half is as a clinical team leader who is at the front line
13 with the clinicians helping them problem solve, process clinical
14 issues if they need that support, although both Patrick Daigle
15 and myself are available to support that too. But she would be
16 particularly available for that.

17 **Q.** Okay. Very good. So the ... I'm just going to ask
18 you a few more detailed questions about operational stress
19 injuries. We have a bundle of materials that were provided to
20 us regarding the OSI Clinic which have been marked as Exhibit
21 261, and if we could bring that up and go to page 2 of that
22 document. Sorry, let's try page 4.

DR. ABRAHAM RUDNICK, Direct Examination

1 **EXHIBIT P-000261 - NOVA SCOTIA OSI CLINIC MATERIALS**

2 Q. And you gave us the definition of an operational
3 stress injury. And I believe it's included in these materials.
4 It's a "term used to describe any persistent psychological
5 difficulty resulting from operational or service-related
6 duties", and listed in the document are some common operational
7 stress injuries. Can you tell us about those, what the most
8 common operational stress injuries are that you treat at the OSI
9 clinic?

10 A. So the first preamble is that a client may, and often
11 does, present with more than one mental health challenge.
12 Sometimes it's one operational stress injury and other mental
13 health challenges that are not an operational stress injury -
14 may be related to their civilian life - and sometimes it's more
15 than one operational stress injury clinic.

16 And so what we usually use is the terminology it's a
17 primary disorder and comorbidities, other mental disorders and
18 sometimes other physical health disorders that may complicate
19 the mental health of the client. And so if we start with the
20 first bullet there, post-traumatic stress disorder, PTSD, as a
21 primary disorder, approximately that ... about 50 percent of our
22 clients present with PTSD as a primary disorder and the rest

DR. ABRAHAM RUDNICK, Direct Examination

1 would have either mood disorders as a primary disorder or
2 anxiety disorders such as panic disorder. For mood disorders,
3 typically it would be either major depressive disorder or
4 persistent depressive disorder.

5 Often our clients have comorbid substance use disorder, but
6 typically we would not have clients who have only a substance
7 use disorder as an operational stress injury and then there are
8 other conditions. An example which is not unusual would be a
9 dissociative disorder. Sometimes it is a secondary or comorbid
10 disorder. Sometimes it's a set of symptoms related to PTSD, and
11 sometimes occasionally, but not commonly, through the primary
12 disorder.

13 Q. Okay. You say that ...

14 A. Very rarely ...

15 Q. Sorry, go ahead.

16 A. Rarely we have people with other comorbidities that
17 are unusual for a client population, be it a psychotic disorder.
18 It could even be a primary psychotic disorder. Very rarely it
19 could be a dementing disorder such as Alzheimer or another type
20 of dementia but that's quite rare for our clients.

21 Q. Okay. And just on that last point out of curiosity.
22 The age range of your clients ... I hadn't asked, but do you

DR. ABRAHAM RUDNICK, Direct Examination

1 have a wide age range?

2 **A.** Age range is from their 20s to their 80s typically.
3 Rarely 90s.

4 **Q.** Okay, so as a primary OSI you say post-traumatic
5 stress disorder, or PTSD, is perhaps 50 percent ... in 50
6 percent of the cases that's the primary disorder, is that
7 correct?

8 **A.** Correct.

9 **Q.** Is it more common that there are multiple diagnoses
10 and comorbidities than when there is just one?

11 **A.** Yes, absolutely.

12 **Q.** Okay.

13 **A.** That is the common situation.

14 **Q.** All right. And is it possible that a patient may have
15 multiple comorbidities going on?

16 **A.** So by definition, comorbidities mean one or more
17 comorbidity, and yes, it is very common for people to have more
18 than one comorbidity. Often both mental and physical
19 comorbidities.

20 **Q.** Okay, so for example, a patient may present with PTSD
21 and also major depressive disorder, for example, which would, I
22 take it from what you said, fall within the mood disorder

DR. ABRAHAM RUDNICK, Direct Examination

1 category? Is that correct?

2 **A.** Yes, correct.

3 **Q.** All right. And you say that patients can also present
4 with ... or it's not uncommon for them to present with physical
5 conditions as well along with their mental health difficulties?

6 **A.** Commonly. There are at least two types of physical
7 comorbidities, one that are at least partly service related.
8 Sometimes disability awarded by Veterans Affairs Canada, such as
9 head injuries that occurred during and in relation to military
10 or RCMP service and then another type are physical health
11 challenges that are not related to the service but over time
12 accumulate and is expected the older the client, the more likely
13 are those types of physical comorbidities.

14 **Q.** So if a patient has a physical comorbidity ... that it
15 would have to be related to their service, obviously, would it,
16 for treatment? Or not?

17 **A.** So obviously, all clients are deserving of any
18 relevant healthcare, including for non-service related issues.
19 The question is more about what do we, as an operational stress
20 injury clinic, do to provide care? And so there may be time
21 later. But I can just briefly mention that we do have primary
22 care providers at the clinic and they would address with their

DR. ABRAHAM RUDNICK, Direct Examination

1 clients all physical health challenges not just those that are
2 service related and disability awarded.

3 Q. Okay. So if a patient presented, for example, with a
4 chronic pain condition that would be something that you might
5 treat at the clinic?

6 A. We do treat that in a variety of modalities.

7 Q. All right, and if a person ... you referenced a moment
8 ago if a person had a head injury and as a result had, maybe, a
9 neurocognitive impairment. Are you able to provide treatment
10 for that at the OSI clinic?

11 A. Yes, we are. So especially our occupational therapist
12 providing what is called cognitive remediation, which I think is
13 a very, very important part that I would like to highlight.
14 Because it's not commonly practised in general in Canada, you
15 know, just for people with operational stress injuries. And
16 it's very important to address that.

17 It's not only for people with head injuries. People with
18 persistent complex or severe mental health challenges often do
19 have neurocognitive impairments such as attention problems,
20 memory problems, problem-solving problems, et cetera, and
21 sometimes the psychotherapies and the medications are not enough
22 to address that and that is where cognitive remediation comes

DR. ABRAHAM RUDNICK, Direct Examination

1 in.

2 So we're currently actually working with developing a full
3 program for that, but even before that we are providing that.
4 And as needed, we do assessments for that, either occupational
5 therapy assessments or psychology assessments, and when we need
6 a complex full battery of neuropsychological assessment we refer
7 out. Typically, we would ask the referring source, such as
8 Veterans Affairs Canada, to find a community-based
9 neuropsychologist and to have that assessment done and we've had
10 success with that. So we work collaboratively in that sense
11 with some community providers.

12 **Q.** Okay, so that's interesting and important that we talk
13 about the cognitive remediation, but let's just back up a second
14 and speak more generally about the types of treatments that you
15 provide at the clinic. So we've talked about a number of the
16 conditions that ... and comorbid conditions that we often see.
17 What is the treatment approach and what treatments are available
18 at the OSI Clinic?

19 **(10:00)**

20 **A.** So I'd like to frame it in a general way and then I'll
21 go into some specifics. So in mental healthcare in general ...
22 and that's published about this. There are at least four main

DR. ABRAHAM RUDNICK, Direct Examination

1 types of interventions. One is medications. a second is
2 psychotherapy so of a variety of sorts. The third is what's
3 called neuromodulation, which is a physical electric magnetic
4 treatment such as electric convulsive therapy, trans-cranial
5 magnetic therapy and so on. And the fourth is psychiatric
6 psychosocial rehabilitation where cognitive remediation sits.
7 So all those four types are either provided by the clinic or
8 sometimes outsourced for.

9 I'll start with the medications. There's a variety of
10 psychotropic medications as we call them: antidepressants, anti-
11 anxiety medication, sleeping users, antipsychotics, and others
12 and we prescribe them or, occasionally, if the client prefers
13 that we recommend to a community primary care provider to
14 prescribe that with our guidance.

15 The psychotherapies consist of a variety, some more/some
16 less-evidence based and they're focussed specifically on types
17 of conditions. So, for example, for PTSD there are three most
18 evidence-based psychotherapies and that would be prolonged
19 exposure therapy, cognitive processing therapy and eye movement
20 desensitization and reprocessing, EMDR. Those are, for lack of
21 a better word, sanctioned by Veterans Affairs Canada but
22 sometimes other psychotherapies are helpful too. For example,

DR. ABRAHAM RUDNICK, Direct Examination

1 for depression of particular types there's a psychotherapy
2 called interpersonal therapy, which we've trained some of our
3 clinicians on, and that could be as helpful.

4 We also use the more generic cognitive behaviour therapy,
5 sometimes acceptance and commitment therapy, depending on the
6 particular issue and we also use group modalities. So
7 therapies, for example, we have dialectical behaviour therapy,
8 group skills training modality. We have a family and client
9 educational group.

10 We're doing a pain group, pain management group, for the
11 clients. Some are evidence-based, some are evidence informed.
12 If there's interest, I can try to help understand the difference
13 between evidence-based and evidence-informed and emerging, those
14 are three categories of health interventions.

15 And then I mentioned the neuromodulation or stimulation.
16 For our clients, occasionally we do refer them out to TMS,
17 transcranial magnetic stimulation. We don't have that at the
18 clinic. There is at least one (inaudible - audio) for that in
19 Nova Scotia, hopefully soon more. It's typically evidence-based
20 for depression that either doesn't respond well to other
21 modalities like medications and psychotherapy or perhaps the
22 client is not interested in medications and maybe psychotherapy

DR. ABRAHAM RUDNICK, Direct Examination

1 isn't working well enough and then we can add on TMS.

2 And the fourth type that I mentioned is PSR, psychiatric
3 psychosocial rehabilitation which includes, as I mentioned,
4 cognitive remediation but also includes other modalities that
5 are specific to practical skills training and generating and
6 maintaining supports that help people in their, what's called
7 environments of choice. Sorry for the technical jargon. PSR is
8 part of my expertise. If this is too technical, please let me
9 know.

10 **Q.** No, that's fine. We'll just go through these kind of
11 ... and bear with us because we're not medical experts, but I'll
12 ask you a few questions about the four general categories of
13 treatment that are available.

14 So obviously the first you said was medication,
15 psychotropic drugs, which you are able to prescribe. I haven't
16 asked you yet about the makeup of the clinic, I'll do that in a
17 moment. But you have psychiatrists on staff apart from yourself
18 that are able to prescribe medication, is that correct?

19 **A.** That's correct. We also have a family physician and a
20 nurse practitioner who can also prescribe, including
21 psychotropics.

22 **Q.** All right. And then the second would be the

DR. ABRAHAM RUDNICK, Direct Examination

1 therapeutic evidence-based and perhaps not evidence-based
2 therapeutic treatments that I take it would normally be given to
3 clients by psychologists. And you talked about the three most
4 evidence-based, I guess, and sanctioned forms of treatment for
5 post-traumatic stress disorder. Do I understand that correctly?

6 **A.** Yes, with just a slight correction. It's not just
7 psychologists who do psychotherapy and are authorized to do
8 that. A variety of other professions do that, social workers,
9 nurses if they are trained, psychiatrists can do that,
10 occasionally occupational therapists for specific types of
11 psychotherapies.

12 **Q.** Okay, understood. And we've heard from other
13 witnesses previously about the three most widely accepted, I
14 guess, and evidence-based treatments for PTSD, the prolonged
15 exposure, the cognitive processing therapy, and the eye movement
16 desensitization. Can you give us just a ... I appreciate this
17 may not be your specific area but can you give us just a sense
18 of what those three treatments are?

19 **A.** So all of these treatments are based on a principle to
20 some extent of exposure. So that the more the client processes
21 the troubling memories of whatever incidents they have been
22 involved with, witnessed, experienced and so on the more they

DR. ABRAHAM RUDNICK, Direct Examination

1 habituate and the less stressful those memories become. But
2 each modality, each intervention does it somewhat different the
3 focus could be a bit different. Prolonged exposure therapy is
4 still the gold standard for that exposure experience.

5 Cognitive processing therapy focuses more on the thoughts
6 related to those troubling memories and can be particularly
7 helpful for experiences such as moral injury which often come
8 with PTSD. If need be, we can talk a bit more about moral
9 injury.

10 And EMDR has evidence to show that it can help people who
11 are not as comfortable articulating with a clinician those
12 troubling memories. Because that by itself, just talking about
13 those traumatic experiences could be triggering, which could be
14 processed in therapy but some people can't tolerate that and,
15 therefore, doing it through EMDR is sometimes more helpful.

16 Now in addition to that sometimes clients come with what's
17 called complex PTSD. So often complex PTSD is an OSI
18 complicated by other traumatic experiences, typically
19 developmental mental trauma, so trauma in childhood, be it
20 physical, sexual, verbal, emotional or other abuse or severe
21 neglect, and when that occurs, we try one evidence-based
22 psychotherapy for PTSD.

DR. ABRAHAM RUDNICK, Direct Examination

1 The experience, and that's more anecdotal, is that
2 sometimes EMDR may be more helpful for that but it is anecdotal.
3 Head-to-head comparisons, meaning comparing one of those
4 evidence-based trauma-focussed therapies to another in a
5 rigorous way with large samples of research participants have
6 not been really done much unfortunately.

7 And so it's very difficult to this day to do treatment
8 matching to decide in advance which of these three trauma-
9 focussed therapies is a better fit for this particular client,
10 of course, beyond their personal choice, which is imperative.

11 **Q.** So you offer at the OSI clinic each of those three
12 treatment modalities, the prolonged exposure which you say is
13 the gold standard, the CPT, and the EMDR, correct?

14 **A.** Correct.

15 **Q.** And I've understood from other witnesses, the
16 prolonged exposure and cognitive processing therapy requires,
17 for example, or it can, clients writing down or keeping a diary
18 or recording their thoughts, and can also involve what I
19 understand is imaginal exposure versus *in vivo* exposure, do I
20 have that correct?

21 **A.** Yes, absolutely, the different types of exposure.
22 Ideally, we would get the client to the point where there's *in*

DR. ABRAHAM RUDNICK, Direct Examination

1 vivo, meaning in real life exposure. An example would be if
2 they're very suspicious, hypervigilant, easily triggered in
3 crowds, eventually we would hope that they get to the point
4 where they're doing the exposure in crowds, pandemic aside
5 obviously, but as much as possible behaviorally exposing
6 themselves because that would be the most effective way of trying
7 to address the trauma.

8 **(10:10)**

9 Q. All right. Apart from those three treatments you said
10 there are other in this category ... I guess in the second
11 category, there are other treatments that are perhaps less
12 evidence-based but the OSI clinic is trying them. Do I have
13 that correct?

14 A. Partly. So maybe I'll explain. Interpersonal
15 therapy, IPT, is a highly evidence-based psychotherapy for
16 depression with specific indications within depression. For
17 example, for transitions in life. Someone is transitioning from
18 the military and has become depressed from that, often IPT could
19 be a good solution for that. There are other indications within
20 depression for that, so that's evidence-based.

21 There are other types that are evidence-based.
22 Motivational interviewing, which is somewhat of a psychotherapy.

DR. ABRAHAM RUDNICK, Direct Examination

1 It's really a way of connecting with a client and it's very
2 evidence-based for substance use challenges but also for more
3 and more evidence-based for appearance challenges. If a client
4 is not taking their medications regularly - be it for mental
5 health purposes or even for high blood pressure or diabetes -
6 motivational intervening can help with that to some extent.

7 Our client and family education educational group is an
8 emerging modality that we're doing research on, so that would
9 not be evidence-based but it's evidence informed.

10 Q. I see, okay. And those are all forms of treatment and
11 intervention that you offer now at the OSI clinic, are they?

12 A. Correct.

13 Q. All right. You also made reference to a category
14 "neuromodulation", do I have that correct?

15 A. Correct.

16 Q. And be patient with us. Can you give us a basic
17 understanding of what neuromodulation is?

18 A. So neuromodulation is a set of biophysical
19 interventions typically using either electric stimulation or
20 magnetic stimulation in order to improve various mental and
21 neurological conditions.

22 It ranges from one of the easiest to implement clinically

DR. ABRAHAM RUDNICK, Direct Examination

1 such as TMS, transcranial magnetic stimulation which I
2 mentioned, which is fairly benign, nearly no adverse effects
3 from that, up to electroconvulsive therapy, ECT, that has been
4 practiced for many, many decades, it's highly evidenced for
5 severe depression such as psychotic depression, to the most
6 extreme form which is DBS, deep brain stimulation, which is done
7 sometimes for Parkinson's disease, sometimes now also for severe
8 depression, sometimes for obsessive compulsive disorder, where
9 an electrode is inserted into the brain by a neurosurgeon,
10 placed there, and could be stimulated on and off in order to try
11 to regulate those areas of the brain that are deemed to be
12 responsible for that mental or neurological condition.

13 **Q.** And are those interventions available at the OSI
14 clinic or do they require cooperation with a community health
15 provider as well?

16 **A.** So none of them are available at our clinic. We do
17 outsource them. But from my experience to date at the clinic,
18 the only intervention with neuromodulation intervention we
19 outsourced has been TMS which, as I said, is one of the most
20 benign, the easiest clinically, but not easy logistically
21 because it has to be done every day for five days a week for at
22 least a few weeks and it's currently only available in Halifax.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** I see. All right. And finally, the fourth general
2 category of treatment or interventions that you provide I
3 understood were the psychosocial remediation or rehabilitation.
4 And in that category is the cognitive remediation?

5 **A.** Correct. Cognitive remediation will be an important
6 part of that. There are other parts that are typically
7 outsourced these days to community providers by VAC, such as
8 vocational rehabilitation would be a very typical example of
9 that.

10 There are other types of psychosocial rehab that we engage
11 less with even with community providers, because the nature of
12 our population is typically such that often they're more
13 independent functionally than some other mental health
14 populations such as people with dementia or people with severe
15 schizophrenia. But there are aspects of that PSR, psychosocial
16 rehab, that are relevant and our clients do engage, for example,
17 in vocational rehabilitation. We also try to connect, as best
18 possible, with those community providers in order to have a
19 shared care plan.

20 **Q.** And you gave us a moment ago when we talked about
21 neurocognitive deficits, just again generally what the cognitive
22 remediation process was. What is that again? Can you just help

DR. ABRAHAM RUDNICK, Direct Examination

1 us understand that a bit?

2 **A.** So cognitive remediation is a set of interventions to
3 help people function best in relation to their cognitive
4 challenges. And typically, cognitive impairments, using the
5 word health organization terminology, would be attention
6 problems, memory problems, typically shorter memory problems
7 such as working memory problems and related function problems
8 such as problem-solving, abstract thinking, and future thinking.

9 Now obviously each client may present with a different set
10 of those neurocognitive impairments and many clients do not have
11 them, but some do. And so we do risk screening for that and if
12 we find ... based on that and based on the clients and families'
13 reports that they're struggling with that we go into a deeper
14 assessment for that and then we can implement the three main
15 types of cognitive remediation often combined.

16 One would be restorative cognitive remediation and that
17 would mean trying to actually improve their cognitive
18 impairment. It typically works well for attention problems,
19 often using software as well as the clinician engagement.

20 The second would be compensatory where we try to help the
21 client bypass the cognitive problem rather than improve it.
22 Often, that's what works best with memory problems, and that's

DR. ABRAHAM RUDNICK, Direct Examination

1 been published about a lot, including in the States, including
2 with the veterans.

3 And the third would be regulative or metacognitive, which
4 is usually a supplement rather than a standalone although there
5 are interventions currently in the country being exposed just
6 ... or focussing mostly on that. And that will be helping the
7 client think about their challenges so that they have more
8 control and, therefore, can perhaps more easily use restorative
9 or compensatory approaches.

10 **Q.** You said ... I think we initially started talking
11 about that in relation to head injuries or neurocognitive
12 deficits from a traumatic brain injury perhaps. But you said
13 obviously other patients without a brain injury can also have
14 neurocognitive deficits. I take it if a person has PTSD they
15 may have attention or memory problems, do I understand that
16 correctly?

17 **A.** Correct.

18 **Q.** Okay.

19 **A.** Correct. So the same as with anxiety, depression.

20 **Q.** And the cognitive remediation would be appropriate for
21 anyone with a neurocognitive deficit like that from whatever
22 source?

DR. ABRAHAM RUDNICK, Direct Examination

1 **A.** Yeah, so we call it transdiagnostic approach, meaning
2 it's not diagnosed, it's specific, although it has to be
3 tailored. For example, a cognitive remediation for people with
4 schizophrenia would be different to some extent compared to
5 people with PTSD or people with persistent depression, or even
6 people with attention deficit hyperactivity disorder, which I
7 didn't mention, is also sometimes a comorbidity of clients we
8 serve.

9 **Q.** We've heard, when we've reviewed Cpl. Desmond's
10 records, that various healthcare professionals have recommended
11 or suggested that he would have benefitted from a neurocognitive
12 assessment generally. Is that something that can be provided at
13 the OSI clinic in Nova Scotia or is that something that's
14 outsourced?

15 **A.** So we can do some neurocognitive assessments, as I
16 mentioned earlier, through our psychologists at the clinic. But
17 if we need a full neuropsychological battery which requires
18 specialized training of a psychologist, then we do ask their
19 referral source, typically Veterans Affairs Canada most commonly
20 to find that community assessor, and from my experience at the
21 clinic, that has been quite forthcoming so it hasn't been
22 challenging to find that.

DR. ABRAHAM RUDNICK, Direct Examination

1 (10:20)

2 But I must say during the current pandemic it may be more
3 challenging because those types of very lengthy assessments,
4 very detailed ones, may be challenging to do remotely unlike
5 some other assessments and so it may be needed to do that in
6 person.

7 Q. Okay. Understood. The pandemic has changed many
8 things. But let's say even pre-pandemic, if patients needed a
9 full neuropsychological assessment, do you have a sense of how
10 difficult it is to find someone to do that or how many people
11 are qualified to do it, for example, in Nova Scotia?

12 A. So pre-pandemic my personal experience at the clinic
13 was that within a very few months that was done in the community
14 based on a request to Veterans Affairs Canada.

15 I don't currently have any experience during the pandemic,
16 other than one person who actually, to my recollection, was
17 assessed this week by a community provider and so it still is
18 available.

19 I do not know how many trained neuropsychologists are
20 available in Nova Scotia or any other province or territory in
21 Canada. The number is much less than the full number of
22 psychologists obviously, and typically it would be a fairly

DR. ABRAHAM RUDNICK, Direct Examination

1 available statistic to access I think through the College of
2 Psychologists because, as I understand it, psychologists self-
3 identify on that website what is their scope.

4 Q. But your experience was when patients did need it, the
5 wait was not inordinate, I guess, if I can put it that way?

6 A. Correct.

7 Q. All right. So the OSI clinic provides a wide variety
8 of treatment interventions obviously for patients so I take it
9 that there's a fairly broad interdisciplinary team of
10 professionals that work at the OSI clinic?

11 A. Yes, there is. As I mentioned, there are
12 psychiatrists, psychologists, social workers, nurses,
13 occupational therapists, a family physician, a nurse
14 practitioner, administrative staff, the manager, and a research
15 and statistics officer.

16 **EXHIBIT P-000264 - TELEHEALTH AND REMOTE MENTAL HEALTH SERVICES**

17 Q. I'm just going to ask that we put up an exhibit, this
18 is 264, and I'm going to ask to go to page 6 of that document
19 and just maybe zoom in on the chart there. I was going to ask
20 you how many of each of these professionals there are but I
21 think we have this in the documents.

22 So looking at this document yourself as clinical director,

DR. ABRAHAM RUDNICK, Direct Examination

1 there are two other psychiatrists, is that correct?

2 **A.** There were until very recently. Right now there's
3 only one other psychiatrist.

4 **Q.** Is that just a matter of filling the position or have
5 you gone to just two psychiatrists?

6 **A.** No, we're still recruiting for the other position.

7 **Q.** I see, okay. And you have a full-time general
8 practitioner?

9 **A.** No, he's part-time.

10 **Q.** Part-time, okay. And it would appear that there are
11 four ... again, if positions are filled, four or three and a
12 half, perhaps, psychologists?

13 **A.** Currently three. We're still recruiting for more. We
14 may actually recruit for more than 3.5, but that's still in
15 discussion.

16 **Q.** Understood. A nurse practitioner and then several
17 other registered nurses. And if I'm counting correctly that
18 would be six social workers?

19 **A.** Currently, to my recollection, there are more but
20 again, Patrick Daigle could be exactly on top knowledge of the
21 current. But of the vacancies and so on, so I will defer to
22 Patrick on the actual vacancies currently.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** Very good. Okay. No, that's perhaps better for him
2 to answer those questions. But in addition to that you also
3 have occupational therapists and the recently recruited clinical
4 team lead, is that correct?

5 **A.** Yes. Yes.

6 **Q.** The multidisciplinary approach, why is that beneficial
7 for patients? Why is that necessary?

8 **A.** I would use an additional term "interprofessional" and
9 in academic literature that's an important distinction, because
10 a multidisciplinary team works as a group but each one does
11 their own thing and they may or may not share information about
12 the client. Whereas an interprofessional not just exchanges
13 knowledge but supports each other and learns from each other.

14 So it's an interprofessional team that's crucial. Because
15 psychotherapy, as I mentioned, is done across disciplines and
16 there's learnings to learn from different professions but also
17 from different personal backgrounds and experiences.

18 And the arrangement of diagnostic lens by psychiatry and
19 psychology, a rehab lens by occupational therapy, psychotherapy
20 lens by those therapies-advanced professions makes for, to my
21 mind, a much better mix of care for the client.

22 And I should mention, the driver of the care is the client.

DR. ABRAHAM RUDNICK, Direct Examination

1 And so it's not just individually that the client leads their
2 care and we empower them to do that and make decisions about
3 that, also organizationally we have the client and family
4 advisory council who informs us about their input to continue to
5 develop and improve services at the clinic.

6 **Q.** I see, okay. So that council, that helps to advise
7 you on improving services?

8 **A.** Yes.

9 **Q.** And how is that configured? Who participates in that
10 council to advise you?

11 **A.** So the council is on a voluntary basis. We have a
12 calling to clients and their family members to join. And
13 currently we have military veterans, an RCMP member, and a
14 family member of a military veteran on the council, and we
15 continue to recruit for more and more members of that council.

16 **Q.** I see. All right. So your services are provided in
17 Dartmouth and also in Cape Breton in Sydney. If patients, or
18 potential patients, live elsewhere in the province, how do they
19 access the service? Are there other ways that they can access
20 the services?

21 **A.** So clients who live remotely or far away from both of
22 our physical sites, can (1) drive in; (2) get care remotely

DR. ABRAHAM RUDNICK, Direct Examination

1 typically through video but occasionally we also use just phone
2 if they are living in or working in an area where they don't
3 have easy access to broadband internet.

4 They could also receive care more locally in their
5 community and if they request that, we advocate for that to the
6 referral source that ... be it the community occupational
7 therapist or community psychotherapist or a community
8 psychiatrist be involved in their care, and we work
9 collaboratively with those.

10 So sometimes clients can have a psychiatrist at our clinic,
11 a psychotherapist in their community or vice versa, and same
12 with the other professions involved.

13 Q. Okay. So I'm going to ask you about a couple of
14 things there. Just on that last point, we had talked earlier
15 about engaging community health providers where there's a
16 particular expertise needed, perhaps a service that's not
17 provided at the clinic such as a neuropsychological assessment
18 perhaps.

19 But setting those things aside, if I just happen to live in
20 a rural area and I'm a patient of the OSI clinic, perhaps I'm
21 seeing you as a psychiatrist, I want to engage with a therapist
22 in my community just because it's closer, how does that happen?

DR. ABRAHAM RUDNICK, Direct Examination

1 I take it that's something that can happen, how does that
2 happen?

3 **A.** Yes, it can and it does happen based on client
4 requests. Obviously we go through a process of discussion with
5 the client to understand with them the pros and cons, the
6 advantage and disadvantages of a team that doesn't work
7 organically together in one clinic, but we also make a point to
8 message that we do that and it all is about the client's choice.

9 And if the client prefers a community provider we ... as I
10 said, we would communicate that to the referral source and say
11 that we would recommend that and we would defer to the referral
12 source to find that community provider.

13 We do provide some recommendations or suggestions about
14 what type of provider. For example, if the client requires
15 clinically trauma-focussed therapy, one of those three
16 psychotherapies I mentioned, then we would recommend that the
17 referral source find a community provider who is trained to
18 provide that type of therapy.

19 **(10:30)**

20 **Q.** All right. So, for example, if it's a veteran, let's
21 say, and the referral source is VAC, is Veterans Affairs Canada,
22 we'll talk about the intake and assessment process in a moment.

DR. ABRAHAM RUDNICK, Direct Examination

1 But if you determine that a patient requires trauma-focussed
2 therapy, they're in a remote community, you would recommend to
3 VAC the type of therapist that would be appropriate or
4 necessary, based on the type of service that the client needs,
5 is that correct?

6 **A.** Based on client's consent, we would suggest what
7 therapies would be the most effective, and we wouldn't
8 necessarily say only one modality because, sometimes, one tries
9 one type of trauma-focussed therapy and it doesn't have enough
10 positive effect or it could have some negative effects. And
11 then the therapist would need to switch to another type of
12 trauma-focused therapy if they need therapy. And so we,
13 typically, we'd say that we recommend trauma-focussed therapy.
14 Kind of prefers that in the community. We could also point to
15 some indicators of which of the three trauma focused-therapies
16 may be more helpful than the others. For example, if there's a
17 clear moral injury aspect to the OSI, then we would suggest that
18 perhaps cognitive processing therapy be considered, but we
19 wouldn't be committed to that recommendation because, again,
20 it's so complex and individual. Most importantly is that the
21 client feel safe and comfortable with a therapy, develop the
22 relationship, and then see, if one modality doesn't work, they

DR. ABRAHAM RUDNICK, Direct Examination

1 can switch to another. But, I would say, a trustworthy trauma-
2 focussed therapy, both at our clinic or in the community, would
3 have to be tried in at least two trauma focus therapies out of
4 the three.

5 **Q.** Ultimately, though, it is the referral source and the
6 client that makes the decision who they will see. You make a
7 recommendation, what you think is appropriate, at the end of the
8 day, though, it is the referral source and the client that makes
9 that ultimate decision? Is that correct?

10 **A.** Correct.

11 **Q.** All right. Now when we talked about treating people
12 in more remote areas, you made reference to telehealth, I guess,
13 or using the telephone, and also using virtual sessions, I
14 guess, or using the internet. So I take it that the telehealth
15 model has been used longer. Is that something that your clinic
16 has used in the past?

17 **A.** Yes, we have used telehealth. So telehealth, unlike
18 the online care, is based on specialized equipment that we have
19 at our clinic and have used all the time, and that is available
20 also in other clinical settings such as hospitals and sometimes
21 large public clinics across the province and, actually, across
22 the country. And so when I came in late 2018, soon after, I

DR. ABRAHAM RUDNICK, Direct Examination

1 started to provide telehealth services, for example, to clients
2 in Cape Breton, because I was based in Dartmouth and couldn't
3 easily go back and forth, especially in the winter.

4 I must say that since the current pandemic, we've pretty
5 much shifted all our telehealth to online, partly because it
6 seems to be much more user-friendly to the clients so they don't
7 need to go to a clinical setting in their community. They can
8 do it from their home, their car, whichever place they have
9 that's secure for them and has good enough internet. So
10 broadband that's reliable.

11 **Q.** Okay. So the pandemic, as it has with many things,
12 perhaps, has hastened the use of online therapy?

13 **A.** It does. And as we submitted, one of the quality
14 improvement initiatives at the clinic that we've done and
15 published about is that rapid shifts in the early period of the
16 pandemic to online provisional mental health care, initially,
17 near the start of the pandemic, to the vast majority of our
18 clients. Lately, it's a bit more mixed. Some clients, now that
19 there are more safeguards and more knowledge about the pandemic,
20 currently, some of the clients do prefer to come into the
21 clinics in person and we accommodate that with appropriate
22 precautions.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** All right. So I'd like to ask you a couple of
2 questions about that. I understand that, and you made reference
3 to it, that you actually published a paper on the use of, I
4 guess, the online model? Is that correct?

5 **A.** Correct.

6 **EXHIBIT P000265 - SCHEDULE A - SHIFTING TO REMOTELY DELIVERED**
7 **MENTAL HEALTH CARE**

8 **Q.** I think we have that paper marked as Exhibit 265.
9 Maybe we can just zoom into the top. This is a paper published
10 by yourself and Mr. Daigle entitled **Shifting to Remotely**
11 **Delivered Mental Health Care Quality Improvement in the COVID-19**
12 **Pandemic**. Is that the paper that you're making reference to?

13 **A.** Yes, it is.

14 **Q.** Okay. So can you tell us what your experience has
15 been in shifting to a more predominantly online model, in part,
16 out of necessity by virtue of the pandemic, but what you found
17 and, from a clinical point of view, how effective that is?

18 **A.** So we have found that the majority of our clients are
19 quite comfortable with this approach. They're forthcoming with
20 that. The technical resolution is usually okay, sometimes even
21 fine, because, in mental health care, it's primarily examination
22 and intervention through talk, through communication, rather

DR. ABRAHAM RUDNICK, Direct Examination

1 than through a physical examination, intervention, then it's
2 quite conducive. And there's enough previous research to
3 support that, including from Canada, to support that remotely
4 delivered mental health care is effective, efficient. Actually,
5 there's some research showing it could even be cost-effective,
6 which is less relevant for today, and it's user-friendly for
7 clients and often for clinicians.

8 And so within very few months - I would say, a few weeks -
9 we "on-boarded" everyone and I think they are important parties
10 to always accommodate exceptions. So clients who don't feel
11 comfortable doing this online and prefer in person, we
12 accommodate that, obviously. Some clinicians with some types of
13 interventions may have challenges, as I mentioned.
14 Neuropsychological assessment may be more challenging.
15 Obviously, that's outsourced for our clinic. But more often
16 than not, we actually do pretty well with online care. And I
17 predict - I may be wrong - that after the pandemic ends, we may
18 not go fully back to in-person provision, but may continue to
19 work in a hybrid model fully based on client choice.

20 Q. There are certain things, obviously, that require
21 face-to-face meetings. If you were going to prescribe a
22 medication for someone in the first instance, I assume would you

DR. ABRAHAM RUDNICK, Direct Examination

1 need to see them in person?

2 **A.** No, not necessarily, actually. For both psychiatric
3 assessment and medication prescriptions, telepsychiatry, which
4 has been done and published about for decades now in Canada and
5 Australia and elsewhere, is quite effective. It's more some of
6 the psychotherapies because the very fine nuances of non-verbal
7 communication when someone is triggered, but dissociating, and
8 so you may not easily see that unless you're very close to them,
9 may be more challenging. But over time, psychotherapists seem
10 to be getting better and better at doing that. And,
11 interestingly, the textbooks are now coming in for
12 psychotherapy, incorporating online, including in reference to
13 the current pandemic. And so, you know, we just recently
14 purchased a gold standard for group psychotherapy. It's a
15 textbook. It's many hundreds of pages and it has a new chapter
16 added to that about how to provide online psychotherapy for
17 groups in the current pandemic.

18 So it's really incorporated worldwide into the toolbox of
19 mental health care providers.

20 **Q.** I see. So not just individual psychotherapy but also
21 group therapy can be done virtually?

22 **A.** Correct.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** There are certain forms of psychotherapy. I'm
2 wondering, for example, about the eye movement desensitization.
3 Is that something that, I assume, would have to be in person?

4 **A.** It's more challenging remotely, but it is being done
5 remotely through self-tapping. There are different techniques
6 to assist through that. And so we need more research published
7 in the world to see how effective that is compared to in-person,
8 but it does look promising to do that online too.

9 **Q.** Okay. So you said, during the pandemic, you moved, by
10 necessity, to almost all clients being seen virtually? Did I
11 understand that correctly?

12 **A.** Initially. And as the pandemic proceeds, over time
13 now, we have more of a hybrid mix of some clients going back to
14 in-person visits, but I can say, for my careload, so for the
15 number of clients I provide care to, which is roughly about a
16 hundred, currently, the majority are actually being provided
17 care by myself remotely.

18 **(10:40)**

19 **Q.** And when the pandemic is ... when, I say hopefully,
20 not if, it ends, you said that you don't anticipate going back
21 completely to an in-person model, that you will continue to see
22 patients remotely?

DR. ABRAHAM RUDNICK, Direct Examination

1 **A.** That's my prediction based fully on their choice, but
2 some clients are saying that it's actually more comfortable for
3 them to continue remotely because they live very far away from
4 both sides, especially from the Dartmouth side where I mostly
5 practice. Also because, timing-wise, sometimes it's just more
6 efficient for them to not do the drive, even if it's only a
7 half-hour drive to the clinic and back. And so that's what I do
8 predict that we'll have a hybrid model for a lot of in-person
9 and remotely delivered care base and client choice.

10 **Q.** And I would also assume that patients, that it can be
11 a mix? You could see a patient in-person, for example, for one
12 visit, and then see them remotely for a number of visits
13 thereafter?

14 **A.** Yes, absolutely. And, sometimes, after a lot of
15 remote sessions, the client may want to come in because they may
16 have a form, a paper form, that's not digitalized. Most of them
17 are now digital, but there are a few of those that are just in
18 paper form. They don't want to send them, they want to actually
19 bring them to the clinic and do them with me in real time so
20 that I actually complete them and get their input, which is the
21 way I practice. And so they would then prefer to do it in
22 person, which is fine.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** So I'd like to ask you some questions about the
2 process when a person is referred to the clinic, potentially,
3 for either assessment or treatment. I think you made reference
4 to this a moment ago, but someone being referred to your clinic
5 may be referred for treatment, they may be referred for a
6 disability assessment, or they may be referred for both. Do I
7 understand that correctly?

8 **A.** Correct.

9 **Q.** Okay. Can you ...

10 **A.** And there are a few, the few less common types of
11 referrals. For example, a second opinion. So a client may
12 actually be in full mental health care, let's say, in the
13 community. They may have a psychiatrist in the community, they
14 may have a psychotherapist, maybe an occupational therapist, but
15 they or their case manager at Veterans Affairs Canada may ask us
16 to assess them to see, is something being missed? And so we
17 would do that, too, with client's choice.

18 **Q.** Okay. So can you give us a sense of what each of
19 those processes involve? So, for example, if a person is being
20 assessed, or is being referred, I should say, for a disability
21 assessment versus treatment, what happens?

22 **A.** Okay. So the first step would be triage which is a

DR. ABRAHAM RUDNICK, Direct Examination

1 connection with, typically, one of our intake nurses by phone.
2 So the client has a 15 to 30-minute conversation with that nurse
3 so that we have preliminary information beyond the documents
4 sent to us by the referral source.

5 The next step, typically, but not always, would be intake,
6 usually by the same nurse. That could be in-person or online or
7 even by phone, as needed, and that would be a more extensive
8 conversation to get more details of the experience of the client
9 and to try to confirm and perhaps add to some of the information
10 provided by documentation through the referral source. And then
11 after that, there's a full assessment.

12 Q. Okay. And this is ...

13 A. And the full assessment would ...

14 Q. Go ahead, sorry.

15 A. And the full assessment would typically be by a
16 psychologist or a psychiatrist, partly because, in Nova Scotia,
17 only psychologists or psychiatrists can diagnose psychiatric
18 diagnoses. And so, after that part, then we bring it to the
19 interdisciplinary team meeting which occurs once a week. And
20 together, as a team, based on client input, of course, we make
21 some decisions about what could be the care involved? Is this
22 care involved? An exception to care would be if the client at

DR. ABRAHAM RUDNICK, Direct Examination

1 the referral source have asked for only a disability assessment,
2 meaning no treatment needs ... treatment request involved. We
3 may still make treatment recommendations in a disability
4 assessment - that is part of our professional scope - but, other
5 than the disability assessment, we would not proceed with care
6 unless the client and the referral source have changed their ask
7 to us.

8 **Q.** Okay. So let's kind of break that down a little bit.
9 So there's a referral initially. Let's say it's from VAC, from
10 Veterans Affairs Canada. Do I understand you that, initially,
11 it will say, We're referring this client for treatment or for
12 disability, or both. The initial referral will suggest what
13 they're looking for. Is that correct?

14 **A.** Correct.

15 **Q.** And there is a triage then, you said, by a nurse, by a
16 registered nurse, most commonly?

17 **A.** Correct.

18 **Q.** All right. So if you can say, typically, when a
19 referral comes to you, is there typically a significant amount
20 of information and medical documentation that comes with it or
21 is it sometimes very brief when it's first referred?

22 **A.** It depends. It's really person by person. Sometimes

DR. ABRAHAM RUDNICK, Direct Examination

1 we get a lot of information, especially if the person has been
2 in mental health care previously and that documentation is
3 available; for example, from another OSI clinic in the country.
4 And if we don't get enough - let's say psychotherapy notes, the
5 full complement of them, isn't available from the past - then we
6 would ask, typically, the case manager from the referral source,
7 sometimes directly to that provider that's in another clinic, to
8 provide us more of that information. But some of the clients
9 may have never been in mental health care, and so they're
10 referred with some information based on their discussion with
11 Veterans Affairs Canada or RCMP Health Services. There may be a
12 few notes from an emergency room or from a family physician.
13 And so it's a whole range of availability of documentation. It
14 depends on the client.

15 **Q.** Understood. Can you give us a sense how often clients
16 are referred to you that have never had a diagnosis, perhaps? I
17 would assume it's more common that they have at least one
18 diagnosis in the past, but I may be wrong about that.

19 **A.** Correct. It's a minority of clients who have had no
20 psychiatric diagnosis in the past, or at least no mental health
21 care, because sometimes, in the country, they have had some
22 mental health care without a formal diagnosis other than a

DR. ABRAHAM RUDNICK, Direct Examination

1 working diagnosis of a depressive disorder, but perhaps no more
2 than that. Maybe they had one contact in an emergency room,
3 were prescribed there an antidepressant but, in an emergency
4 room, it's quite difficult, especially if there's no
5 psychiatrist working there, to fully diagnose whether it's major
6 depressive disorder, or persistent depressive disorder, or
7 substance-induced depressive disorder. Those are nuances that
8 are difficult to tease out in an ER.

9 **Q.** Okay. So the information that you're provided is, it
10 kind of runs the gamut. I understand that. And so, initially,
11 at triage, what does the triage look like? Is that a telephone
12 interview or is that an in-person interview with the nurse?

13 **A.** It's typically a phone conversation between the nurse
14 and the client.

15 **Q.** Okay. And that is to determine, I guess, what the
16 client is looking for and what might be expected of the clinic?
17 Is that correct?

18 **A.** Exactly. It's some basic mutual expectations and some
19 important, pressing information; for example, is there some
20 urgency, from a client's perspective? The nurse, like any other
21 clinician, would do at least a preliminary risk assessment even
22 then to make sure whether there's a need for some more urgent

DR. ABRAHAM RUDNICK, Direct Examination

1 care. And if there's a need for urgent care, then they would
2 inform the client how to go about that. They may even reach out
3 to some urgent care services. That's an unusual scenario, but
4 it does happen. More often, it's more about those mutual
5 expectations giving at least a preliminary sense to the client
6 what the process of the clinic is about, hearing from the client
7 what they want from the clinic, what their understanding is,
8 what the referral is about, and then trying to reach that common
9 ground and scheduling them, or arranging for them to be
10 scheduled, to an intake.

11 **(10:50)**

12 Occasionally, we do skip an intake and go directly to
13 assessment. We discuss that in a weekly intake meeting where
14 the nurses bring those clients who have those questions
15 regarding skipping or fast-tracking into psychotherapy.
16 Sometimes we do that too. So we bring that to the intake
17 meeting and we decide whether, for this particular client, we
18 could skip the intake. For example, if there's a thorough
19 psychological or psychiatric assessment from the last year or so
20 available to us on documentation, we may not need to spend the
21 client's time doing intake because we already have quite
22 informative documentation.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** Okay. So the triage will typically occur within a
2 certain period of time from the referral being received by the
3 OSI clinic, is that correct?

4 **A.** Yes.

5 **Q.** How quickly does the triage normally happen?

6 **A.** So, typically, within a couple of weeks. We aim for
7 less and we often do do it much faster than a couple of weeks.

8 **Q.** Okay. So after that triage process and those mutual
9 expectations have been done by the nurse, you say, typically, in
10 most cases, it will go to an intake process. Is that correct?

11 **A.** Correct.

12 **Q.** And that will be at an ... you have intake meetings
13 how often?

14 **A.** Once a week.

15 **Q.** So does that involve ... what does that process
16 involve? Let me ask you.

17 **A.** So that intake process would be just hold the meeting
18 where a variety of professions sit ... the manager and myself
19 sit at the intake meeting too. And, by the way, in between the
20 weekly meetings, we also discuss complex intakes so that we're
21 on top of that if there's a pressing need. So just in between
22 those meetings. And the intake evaluation, for lack of a better

DR. ABRAHAM RUDNICK, Direct Examination

1 word, with the nurse and the client would then be that longer
2 conversation. Might take, occasionally, hours, if the situation
3 is quite complex and there's a lot of background history. The
4 nurses can also tease out more, some of the medication history,
5 some of the psychotherapy history, because often that's quite
6 important to learn from that history to not repeat things that
7 may not have worked in the past. Obviously, that's re-evaluated
8 during the full assessment, especially by a psychiatrist in
9 regards to the medications, but we do go through that
10 timelining, at least briefly, with the client. But the intake
11 is done with the client. They're informed that this will be
12 brought back to the whole team, and then a full assessment is
13 likely going to be scheduled and they'll be informed when their
14 full assessment by a psychologist or psychiatrist will be
15 scheduled for.

16 **Q.** So let me just understand. There's a triage with the
17 nurse that is typically done by phone, then do I understand you
18 that sometime after that, there is a fuller intake assessment
19 done with that same nurse that's probably in person and can take
20 several hours?

21 **A.** Correct. Could be online rather than in person,
22 depending on client choice.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** Right, okay. And those things that you mentioned; for
2 example, getting a more ... a fuller psychiatric history,
3 learning about the meds that the person may have been
4 prescribed, and so forth, that information will typically be
5 gathered during the intake assessment?

6 **A.** Correct.

7 **Q.** Okay. How long after the triage does the intake
8 assessment normally happen?

9 **A.** Typically, within a few weeks, and it does range. And
10 so it partly will depend on some priority because we do have, at
11 any given moment, quite a few clients waiting to be triaged,
12 intake, assessed, and so on, down that stream. And so usually
13 within a few weeks. Occasionally, more faster if we think, as a
14 team, that there's more urgency to doing the assessment.

15 **Q.** Okay. And after that intake ... well, the intake
16 assessment ... well, perhaps I can direct you. Let's bring up
17 Exhibit 261 again and go to page 11. So just at the top of that
18 chart, the flow chart there, there's an intake assessment. It
19 says, "RN, SW, or OT". So I take it that's "registered nurse,
20 social worker, or occupational therapist". So the intake
21 assessment can be done by any of those individuals, can it?

22 **A.** Correct, but, lately, it's primarily done by nurses.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** And, thereafter, the information that you've gleaned
2 from that intake assessment is brought to an intake meeting, a
3 group meeting? Is that correct?

4 **A.** Sorry, you were cut off.

5 **Q.** After the registered nurse gets the information from
6 the intake assessment, that's brought to the weekly intake
7 meeting, is it?

8 **A.** Sometimes. If the process seems to be fairly simple
9 with the client then they just proceed with the intake rather
10 than bring the client's issues to the intake meeting.

11 **Q.** Okay. So if we follow the chart down, if the client
12 is seeking, potentially, treatment, or a treatment and a
13 disability assessment, it appears that the treatment assessment
14 is done by psychiatry, whereas, if it is solely for a disability
15 assessment, it's done by a psychologist. Do I understand that
16 correctly?

17 **A.** That was correct if the document was provided, but as
18 ... often as things are dynamic. So, right now, because we have
19 less psychiatry HR and psychology HR, and as because
20 psychologists can diagnose as well as provide most care
21 recommendations, other than in regards to medications, then they
22 are also doing treatment assessments and treatment and

DR. ABRAHAM RUDNICK, Direct Examination

1 disability assessments, as are psychiatrists when we're
2 available, but when a psychologist is doing, let's say, a
3 treatment assessment, treatment and disability assessment, then
4 we would also schedule, later on, if the client agrees, for them
5 to see a psychiatrist to make sure that the physical health
6 challenges that may impact on their mental health and that their
7 medications are being addressed too because those two issues are
8 not in the scope for psychologists.

9 **Q.** Okay. Is it common for the nurse, for example, who's
10 doing the intake assessment, to have to either ask the client or
11 VAC for more information, more background information, and more
12 medical records?

13 **A.** It often happens. I couldn't tell you how often, but
14 it is a fairly common occurrence, and not necessarily because of
15 lack of effort on the referring sources part. Sometimes,
16 there's lack of access for them; for example, for Veterans
17 Affairs Canada, to some documentation, as I understand it, but
18 perhaps if they would be available, they could give more
19 information about that. But a typical example would be a
20 private provider, both in Nova Scotia or elsewhere, whose
21 progress notes, psychotherapy notes, may not be available and
22 may take some time to actually obtain them, because for our

DR. ABRAHAM RUDNICK, Direct Examination

1 psychotherapy, the summary is helpful, but looking at fine notes
2 of psychotherapy and what works and the nuances could be
3 particularly helpful if they're to proceed with psychotherapy
4 for the client.

5 **Q.** If clients are coming from another province - let's
6 say they've been treated by an OSI clinic in another province or
7 at Ste. Anne's Hospital in Montreal, that type of thing - would
8 those records typically come with them at the first instance if
9 they're referred or is that something that the team would have
10 to seek out?

11 **A.** It's hit and miss. It depends, from my experience at
12 the clinic. Sometimes, the full documentation comes; sometimes,
13 part of it. Let's say only the discharge summary. Sometimes a
14 very brief note. It depends. At the very least, we expect
15 another OSI clinic to send a summary note on discharge, and a
16 fairly detailed one, but, as I said, if the client received
17 psychotherapy at that clinic, we often do request those
18 psychotherapy notes. They can be in the hundreds of pages, so
19 it's quite onerous to fax them, maybe not safe, and, therefore,
20 sometimes they have to be sent after the fact by courier.

21 **(11:00)**

22 **Q.** Understood. Okay.

DR. ABRAHAM RUDNICK, Direct Examination

1 Your clinic has access to medical databases, I assume, that
2 are used by Nova Scotia Health Authority, is that correct? I'm
3 thinking of MEDITECH or OneContent?

4 **A.** Correct.

5 **Q.** And do you have access to both of those sources?

6 **A.** Yes.

7 **Q.** Would you access those sources for clients who are
8 referred to you? Or would you need to access them typically?

9 **A.** Yes, typically always I would. An example would be
10 the first source may not know the client had visited an
11 emergency room a couple of weeks ago and because the referral
12 was done three weeks ago but also perhaps because the client may
13 not have thought it's particularly relevant.

14 A clinical example would be client comes to the emergency
15 room with chest pain and the discharge diagnosis is a panic
16 attack. We need to know that. Or the discharge diagnosis is
17 ischemic heart disease. As a prescriber, I need to know because
18 some of the medications I prescribe, could prescribe, may not be
19 safe for that particular patient.

20 **Q.** Right. Okay. So it would be standard, then, to check
21 both of those sources as part of the initial process with a
22 client?

DR. ABRAHAM RUDNICK, Direct Examination

1 **A.** So it depends on where the client is residing. If
2 they're in Central Zone Nova Scotia they wouldn't usually have a
3 MEDITECH file. If they're outside the Central Zone they would.
4 Often their documentation is also in OneContent.

5 **Q.** Understood. Okay. When that material is gathered,
6 ultimately, looking at the flowchart we were looking at a moment
7 ago. At some point the file is brought to the interdisciplinary
8 team? Do I understand that correctly?

9 **A.** Correct.

10 **Q.** Okay. Can you tell us a little bit about that, what
11 the interdisciplinary team meetings look like, how often they
12 occur, and who's involved in them?

13 **A.** Okay, so IDT meetings happen weekly. They're required
14 by Veterans Affairs Canada for all OSI clinics, to my knowledge.
15 They're quite helpful. It's where the different clinical
16 professions meet. All the team members, not just a
17 representation, unless someone is on training or on leave. I
18 attend them. The clinic manager typically attends them. The
19 research and statistics officer also attends them because he has
20 some valuable information to add to the mix.

21 And at that meeting each new client, once the assessment
22 has been done, is presented by the person who did the

DR. ABRAHAM RUDNICK, Direct Examination

1 assessment, although student occasionally, with their
2 supervision, if there was a student involved. After a brief
3 presentation, typically a few minutes' presentation of what the
4 issue is. Or issues are, I should say. Then we have a brief
5 discussion within the team what would be the best way to go ...
6 to move forward with this client, especially if the client is
7 referred not just for disability assessment. Because if they
8 are referred only for disability assessment we inform the client
9 ... the team, we have a discussion but there's no care continued
10 after that even if there are care recommendations in the
11 disability assessment.

12 And we do also prioritize clients with psychotherapy, and
13 so these days at the start of the IDT meeting we first discuss
14 clients who the assessor thinks needs psychotherapy by our
15 clinic or perhaps elsewhere and then we proceed with the other
16 clients. The IDT meeting addresses not just new clients. It
17 also addresses complex clients.

18 So clients are not necessarily doing well even though they
19 may be well known to our clinic. So we bring them back to IDT
20 to have a brief discussion. If we decide as a team that we need
21 a more lengthy discussion we have what's called the post-IDT
22 meeting after short break the same morning on the Wednesday. We

DR. ABRAHAM RUDNICK, Direct Examination

1 then have more nuanced, more detailed discussion about that
2 particular client.

3 We also have done six months reports at the IDT meetings.
4 These days we mention them but if there's no complexity
5 involved, we mention it but we don't go into the fine nuances
6 because although Veterans Affairs Canada requires those six
7 months reports, when client is in our care, if the care is going
8 well we don't necessarily need to discuss that with the IDT
9 team.

10 Q. And do all of the professionals at the OSI clinic sit
11 on the IDT meetings?

12 A. All the clinicians, yes.

13 Q. All clinicians? All right, so a person is referred
14 for treatment. Let's say they may have a diagnosis from
15 previous treatment. The determination about what they need, how
16 they will be further assessed, those types of decisions are all
17 made at the IDT meeting, are they?

18 A. I would say they're discussed and some ... what we
19 would call dispositions are decided on. But always based on
20 client input. So the assessor, be it the psychologist or
21 psychiatrist, when they're assessing the client already have a
22 discussion with the client about their preferences and they

DR. ABRAHAM RUDNICK, Direct Examination

1 bring that to the IDT meeting. But the IDT meeting decides on
2 whether we could offer those services based on the client choice
3 or no.

4 An example would be if the client wants TMS, the magnetic
5 treatment, and we don't have that, then we would decide ... and
6 we think it's appropriate, we would decide to do that referral
7 out.

8 **Q.** Okay. And when those discussions are ... well, a
9 person comes with, let's say, a diagnosis from a previous
10 caregiver. You're aware of that diagnosis. I take it that
11 whatever is going to happen you're going to want to make your
12 own diagnosis at the clinic or ensure that you agree with the
13 previous diagnosis? Is that correct?

14 **A.** Yes, absolutely. We don't start from scratch in the
15 sense of not reading the documents. We do read all the
16 available documentation. It's part of our information, but so
17 is the encounter with the client. And we do sometimes re-
18 diagnose, add diagnosis. Sometimes we do change diagnosis.

19 It's an unfortunate worldwide fact that in a psychiatric
20 diagnosis durability across diagnosis is not great. So some
21 research has shown across the world that using the DSM, the
22 American classification that we use in Canada for psychiatric

DR. ABRAHAM RUDNICK, Direct Examination

1 diagnosis, reliability is very roughly only about 50, 5-0,
2 percent of re-diagnosis, and that's across special populations.

3 So it is a profession where we work with our communication
4 tools. We don't have laboratory tests for our diagnosis.
5 That's how the profession has proceeded today and, therefore, we
6 do have to ensure that we're on top of the current diagnosis as
7 well as past diagnoses. So we occasionally have situations
8 where there was a certain type of diagnosis in the past. Maybe
9 there are no symptoms now.

10 When we look at the history with the client and the
11 documents occasionally we may not be clear why that diagnosis
12 was made because we don't have enough evidence for that. So we
13 would put in our report a question mark and say something like,
14 Major depressive disorder per history, query, question mark.
15 Was it actually major depressive disorder? Could it have been
16 something else? But it's ... the times have changed for the
17 client. So we can't confirm or refute that.

18 Q. When those initial, I guess, decisions are made about
19 what might be necessary for the client is there a particular
20 clinician that would take responsibility for that patient? Be
21 the primary caregiver or the person responsible for that
22 patient?

DR. ABRAHAM RUDNICK, Direct Examination

1 **A.** Yes. So we have what we call primary clinicians.
2 Typically, but not always, they would be the psychotherapist if
3 the client is assigned a psychotherapist by our clinic. If the
4 client has no psychotherapist at our clinic, then it may be an
5 occupational therapist if the OT is working with that client.
6 Or it could be the psychiatrist. It would not be the primary
7 care provider at our clinic unless the primary care provider is
8 left as the only healthcare provider at our clinic, which
9 occasionally happens.

10 When that happens ... is that we don't close the file but
11 then by agreement with Veterans Affairs Canada the client has a
12 year with that primary care provider to find a community primary
13 care provider. But yes, the most common occurrence is a
14 psychotherapist is the primary clinician.

15 **Q.** And I think in some of the other materials we've been
16 provided there was some discussion of the MRP model, or most
17 responsible physician. Is that a change in the approach of the
18 clinic?

19 **A.** That is a change that we instituted soon after I
20 joined the clinic in late 2018 and we think of it as another
21 safeguard to add to the mix of the clinic's work so that even if
22 the psychiatrist may not be involved much anymore with the

DR. ABRAHAM RUDNICK, Direct Examination

1 client ... let's say the medications are stable. If the family
2 physician in the community was prescribing them now because it's
3 going well but the psychiatrist would still be available to the
4 client and the team members providing, let's say, psychotherapy
5 or occupational therapy to the client as needed. Even if it's
6 only every few months.

7 **Q.** Understood. Your Honour, I don't know if you want to
8 take a short morning break or if we want to keep going.

9 **THE COURT:** We probably could. Dr. Rudnick, we would
10 normally take a mid morning break sometime around 11:15, and
11 we're pretty close to that time. So perhaps we could take a
12 break for maybe 20 minutes. We'll come back at 11:30. That's
13 good for you? All right.

14 **A.** Thank you, Your Honour. That's great.

15 **THE COURT:** Thank you.

16 All right. Thank you, Counsel. We'll adjourn till 11:30.

17 **A.** Okay. Thanks.

18 **COURT RECESSED (11:11 HRS.)**

19 **COURT RESUMED (11:30 HRS.)**

20 **THE COURT:** Thank you. Mr. Murray?

21 **MR. MURRAY:** Thank you, Your Honour.

22 Dr. Rudnick, we were talking before we broke, about I guess

DR. ABRAHAM RUDNICK, Direct Examination

1 the general assessment process for clients and ultimately the
2 discussion that's had at the IDT meeting. There is a formal
3 assessment report, is there, done for each client or each
4 prospective client?

5 **A.** Correct, yes. So typically it's been what we call a
6 narrative form. So that would be a text of usually a few pages
7 with the relevant history, the examination results, the
8 diagnosis, and also the more general impression, including what
9 we call a formulation - I can explain that if needed - and then
10 suggested recommendations, which is the plan.

11 For disability assessments it's also a special form by
12 Veterans Affairs Canada, what we call in short the Pen form, P-
13 E-N, and that also goes to Veterans Affairs Canada for
14 disability assessment. Now sometimes the narrative is inserted
15 into the Pen form. It's now digital. So it can be expanded
16 practically infinitely. Sometimes it's a narrative report that
17 refers to the Pen form with a cross-reference, and VAC accepts
18 both formats.

19 **Q.** And that reporting back to the referring agency, say
20 it's VAC, that's something that's required, is it?

21 **A.** Yes, for all assessments it's required and it's also
22 medical, legal, and clinically the safest way to operate.

DR. ABRAHAM RUDNICK, Direct Examination

1 **Q.** Okay, so if a client has been referred for treatment
2 and it's determined that that's appropriate and that you have
3 treatment that you can offer that client, obviously a decision
4 will be made in consultation with the client about what forms of
5 treatment are appropriate. Is that correct?

6 **A.** Correct.

7 **Q.** All right. Are there particular ... depending on the
8 nature of the treatment I assume there are different wait times
9 for a client to be able to access those forms of treatment?

10 **A.** Yes, so we should distinguish psychotherapy from
11 occupational therapy from psychiatry from primary care. Those
12 are the four buckets, the main types of ... I would say
13 professional interventions we provide and we do have sometimes
14 weekly for some of those interventions. But we also prioritize.

15 So both at the intake meetings as well as the IDT meetings
16 we currently have a system of one, two, or three priority level,
17 and the ordinary situation would be when a client is a priority
18 three, meaning that either they currently already have care or
19 enough support and they're not in particular crisis and,
20 therefore, they'd be a priority three and if there's a wait time
21 they would be on the waitlist.

22 If they're a priority two, meaning there's some more

DR. ABRAHAM RUDNICK, Direct Examination

1 pressing need, then we would jump the queue for them and try to
2 get them in the very next few weeks into the psychotherapy or
3 psychiatry, whichever they may need or the most pressing need
4 is.

5 It's rare for us to have someone in priority one, which is
6 the most pressing and urgent. It does happen, but as I
7 mentioned by Veterans Affairs Canada mandate, OSI clinics are
8 not urgent care clinics. So if someone is currently actively
9 suicidal or actively violent or extremely intoxicated by
10 substances and not willing to work on that right now we would
11 try to hand them over safely, their care over safely, to more
12 urgent services, and there are those in Nova Scotia we can
13 connect with.

14 **Q.** Okay. So if someone, for example, is actively
15 suicidal, in your estimation, they would be referred elsewhere
16 for assistance that ... because you're not a crisis centre. Is
17 that ... that's correct, is it?

18 **A.** Correct, but I would say beyond referral we would try
19 to do a handover. So a handover in clinical terminology is when
20 there's an actual connection. It's not just, you know, doing a
21 referral and hoping that the referral source ... that the
22 referral agency actually make it happen. We connect, let's say,

DR. ABRAHAM RUDNICK, Direct Examination

1 with the mobile crisis services or an emergency room, and if the
2 client is cooperative, then we would do the handover.

3 There are rare exceptions, not during my time at the
4 clinic, but there theoretically could be where the client is not
5 cooperative. Then there are legal measures if there's a risk to
6 self or others to ensure safety of them and the public. But
7 I've not been involved in that since I've come to Nova Scotia.

8 **Q.** If a client presents, let's say, at the triage stage
9 or at the intake stage and the ... I take it that the nurse who
10 conducts those processes will attempt to gauge if there is a
11 suicide risk, will they?

12 **A.** Yes, absolutely. It's part of the professional
13 responsibility and of quality assurance that if there is suicide
14 or other self-harm risk or other types of self-harm risk or risk
15 to others we would engage clinically at the minimal level in
16 order to ensure safety if need be; handover or de-escalate the
17 situation, which all of our clinicians are trained and capable
18 to do.

19 **Q.** Are there particular tools or instruments that your
20 clinicians use to determine suicide risk?

21 **A.** Yes, there's a standard Nova Scotia Health Authority
22 form, which is like a checklist, quite detailed, that every one

DR. ABRAHAM RUDNICK, Direct Examination

1 of our clients goes through during their intake, and if intake
2 is skipped - let's say there's no need as we mentioned earlier,
3 only triage - then the assessor may do that intake or the
4 assessor may ask that triage nurse to come in just before the
5 assessment and do that risk assessment for them.

6 **Q.** Okay, so even if the intake, the formal intake
7 assessment process, can be skipped that process still takes
8 place, that suicide risk assessment?

9 **A.** Yes, and it's for quality assurance, including chart
10 audits, to make sure that is not missed.

11 **Q.** In the same vein, is there an assessment done to
12 determine if the client may pose a risk to others to harm others
13 or commit an act of violence toward others?

14 **A.** So unfortunately, the research in the world has not
15 formalized that as much as risk to self. Previously in another
16 province as a chief of psychiatry I was involved in trying to
17 put such a measure in place to complement suicide risk
18 assessment. It is not easy because there are no gold standards
19 for that. There's different tools. Each one has it downsides
20 and upsides.

21 But every clinician engaging with the client does that, an
22 assessment of risk to others, not just risk to self. And I

DR. ABRAHAM RUDNICK, Direct Examination

1 mentioned just a moment ago that risk to self is not just about
2 suicide. There's also unintentional risk to self that has to be
3 factored in. So we're ensuring that that's not missed, too,
4 when ... for example, people, because of memory issues, forget
5 to turn off the stove and can burn down their home. And so we
6 need to assess for that, too.

7 And same with unintentional risk to others. Driving under
8 the influence is perhaps unintentional risk to others. So we
9 assess all of that. Some of that is more formalized, like the
10 suicide risk assessment, some less formalized but still
11 clinically possible and done.

12 **Q.** If the prospective client has a domestic or intimate
13 partner is there any discussion about ... or any thought given
14 to risk to the partner depending on the condition that the
15 person is dealing with?

16 **A.** Yes, absolutely. Whenever there's a suspicion of
17 domestic violence we do a more thorough assessment, all the
18 clinicians involved at any stage. Of course the caveat is that
19 if you're only talking to the client, then the narrative may be
20 somewhat one-sided sometimes depending on their perspective.
21 They may be withholding information.

22 **(11:40)**

DR. ABRAHAM RUDNICK, Direct Examination

1 More often than not, their perspective is a bit narrow
2 because it's coming from their lens, from their aspect, and so
3 we do encourage clients to involve their family members,
4 especially family members living with them like spouses, to
5 engage with us with them together for at least two purposes.
6 One is for collateral information. That's a technical term that
7 means that the family member provides us information about what
8 they think is going on and their lived experience of the
9 situation. Also some history. They may know the client for
10 many years and they may remember some of the history that the
11 client sometimes doesn't remember. Even medication sometimes.

12 And the second purpose is to do what we call a
13 psychoeducation with the family member to inform them,
14 communicate to them with the client's permission what is the
15 relevant mental health challenges, what is the interventions
16 that may be most helpful in the client's situation.

17 **Q.** Okay. If the client consents, then if there is a ...
18 for example, a domestic partner, I take it, then, the intake
19 assessment might involve a discussion with that person, would
20 it?

21 **A.** Sorry, you were cut off.

22 **Q.** If the client consents the nurse conducting the intake

DR. ABRAHAM RUDNICK, Direct Examination

1 assessment would want them to talk to the domestic partner,
2 again, if the client consented?

3 **A.** Yes. I would say that during intake it's not perhaps
4 the routine to involve the family member, although it often
5 happens if there's a need. Whereas during the assessment, and
6 even moreso after the assessment, once there's full engagement
7 to the client and maybe they're feeling safer with the clinic
8 once they've met people a few times at the clinic, then
9 absolutely, we repeatedly ask them to consider involving their
10 family member, at least the person they live with.

11 **Q.** Okay. I just wanted to ask you. I'm looking at
12 Exhibit 261, and there's material provided about the assessment
13 process. And obviously, apart from assessment for treatment or
14 disability, the document seems to suggest that there can be
15 assessment for other things. One in particular that I'm curious
16 about, the document says that "occasionally clients may ask the
17 OSIC to complete an assessment on behalf of their provincial
18 firearms officer". That's at page 22 of Exhibit 261. Do you
19 have to do that? Or are you asked to do that very often?

20 **A.** I have yet to be asked to do that. I've not been
21 asked to do that for the more than two years I've been at the
22 clinic. Specifically only for that finding. It's maybe a

DR. ABRAHAM RUDNICK, Direct Examination

1 possibility, and Patrick Daigle may talk more to that about, Is
2 that something that Veterans Affairs would ... kind of would
3 support us doing just that rather than any other part of care
4 and assessment for the client?

5 Again, I have no experience with this particular assessment
6 as a standalone.

7 **Q.** Okay. Through the treatment process is there ... if a
8 person is suffering from, for example, PTSD is there a
9 discussion of weapons or firearms or whether they possess
10 weapons or firearms?

11 **A.** Yes, always we discuss availability, accessibility to
12 firearms as part of our violence risk assessment, and as if
13 there are firearms, then the clinician involved would engage in
14 some education about safety. And if they have a safety concern,
15 then they may trigger an escalation of the process. And in that
16 situation, even if the client is in disagreement, if there's a
17 clear suspicion that there's danger to others, then that
18 disagreement of the client can be overridden.

19 It's very unusual that that occurs, especially with our
20 client population, but it is possible.

21 **Q.** So when a treatment plan is established, I guess, or
22 agreed upon, typically is there a timeframe set out that you

DR. ABRAHAM RUDNICK, Direct Examination

1 anticipate a particular treatment modality may take? Appreciate
2 that it can change, but at the outset do you say this is
3 something that we think will take eight months or 12 months or
4 what have you?

5 **A.** Yes, absolutely, and I should also maybe explain a bit
6 more generally. So we have moved now to a collaborative care
7 plan process where from early on there's a joint care plan for
8 the client, the team, that referring source and community
9 providers, if they're involved. So from early on the client's
10 goals are there and the barriers or the challenges to achieve
11 those goals are there. The intervention is offered and
12 implemented. The outcomes to date are there, and we revisit
13 that.

14 We're now broadening that to the whole client population of
15 the clinic. We started that more for the more complex
16 situations, and the idea is that in that care planning process
17 and ongoing we are informing the client what we expect are the
18 timelines.

19 So typically for medications, for psychotropic medications,
20 we're talking within weeks to months of seeing sufficient
21 outcomes including whether the medications are not working and
22 then a switch or an add-on and so on.

DR. ABRAHAM RUDNICK, Direct Examination

1 For psychotherapy it's a bit of a longer timeline, but we
2 typically wouldn't talk about many years. We talk about quite a
3 few months to a very few years and, again, revisit that at least
4 every half-year.

5 For occupational therapy, again it's months to years, and
6 for primary care it's ongoing, obviously, until the end of ...
7 or the clinic, if they're getting primary care at the clinic and
8 one year later after all mental healthcare ended at the clinic
9 unless they find a primary care provider in the community before
10 that one year after end of mental healthcare occurs.

11 **Q.** Okay, so let me ask you about those kind of
12 individually. So for medication you prescribe meds that you
13 think are appropriate and you typically will ... it'll be a
14 period of weeks or months to assess if the medication is working
15 as you had hoped?

16 **A.** Yes. I want to explain that the different diagnoses
17 respond in different timelines to the medications, the
18 psychotropic medication, particularly antidepressant
19 medications. And there are four medications that are clearly
20 evidence-based for PTSD, especially military PTSD, and then
21 there are others. So we sometimes use others in order to help
22 the side effects if the four have too many side effects for that

DR. ABRAHAM RUDNICK, Direct Examination

1 particular client.

2 But PTSD responds slower than major depression, for
3 example. If we start an antidepressant and we gradually
4 increase the dose typically within a few weeks to very few
5 months we see if it's working well enough or not for major
6 depression whereas with PTSD it may sometimes take twice the
7 time.

8 And so we psychoeducate the clients and families on the
9 need for some more patience with PTSD because it does typically
10 take longer to take effect.

11 **Q.** It's my understanding, correct me if I'm wrong, that
12 with PTSD the medication is basically symptom management and
13 it's the psychotherapy that is ultimately treating, I guess, the
14 post-traumatic stress disorder itself. As the client goes
15 through psychotherapy for PTSD is it sometimes necessary to
16 adjust the medication, to try different meds to see if they're
17 working?

18 **A.** Yes, absolutely, and the mainstay is the
19 psychotherapy, the trauma-focussed therapies for PTSD, but the
20 antidepressants that are sometimes combined depending on patient
21 preference and also severity of symptoms, they are symptomatic.
22 But that's true, actually, it seems for pretty much all

DR. ABRAHAM RUDNICK, Direct Examination

1 psychotropic medications for all mental health conditions. We
2 don't address the root cause. We address symptoms and we can
3 achieve full remission for some conditions.

4 With PTSD it's not usual to achieve full remission of
5 symptoms for along just with medications, but some patients do
6 prefer to stay away or just discontinue eventually psychotherapy
7 and just take their medications or not even that.

8 So the way I formulate the wording about medications for
9 PTSD currently is that they're a bit of a safety net. If the
10 symptoms are severe they can help with depressive symptoms that
11 are sometimes part of PTSD. They can help quite a bit with
12 anxiety and they help sometimes process the therapy better, the
13 psychotherapy better. So the person is not as easily triggered
14 and can follow through with some of the psychotherapy.

15 **(11:50)**

16 In some situations that is not true. So there's very well
17 published research on panic disorder which many people with the
18 OSI have where the combination of antidepressants, which help
19 with anxiety, and cognitive behavioural psychotherapy is no
20 better than just cognitive behavioural psychotherapy. So it
21 depends on the condition, but that being said, if someone has
22 severe panic attacks and therefore they're staying at home and

DR. ABRAHAM RUDNICK, Direct Examination

1 being agoraphobic and the psychotherapy is just not getting
2 traction, because of that, then we will try to help them with
3 medications.

4 The one type of medication we very much try to stay away
5 from, when possible, is the sedatives. So benzodiazepines and
6 what's called Z-drugs. Benzodiazepines would be the Valium
7 family, Z-drugs like zopiclone. They're fairly similar. They
8 work on a similar ... on the same receptor in the brain, and
9 unfortunately, they're not only sedative, they worsen short-term
10 memory. They decrease deep sleep, that part of the sleep, and
11 they're addictive. And so even though they help in the short
12 term, we want to try to get people off of those and if
13 medications are needed, try to use the antidepressants instead.

14 **Q.** The second category of drugs that you attempt to
15 avoid, one of the drugs in that category you said is zopiclone?

16 **A.** Yes.

17 **Q.** Okay. And it has potentially addictive side effects,
18 does it?

19 **A.** So it's similar to the benzodiazepines, the Valium
20 family. Although different, it works on a different part of
21 what's called the GABA, G-A-B-A, receptor in the brain. So it's
22 not as addictive, but over time it's been well shown in research

DR. ABRAHAM RUDNICK, Direct Examination

1 that people take a Z-drug like zopiclone over time. After a few
2 weeks, a few months, it's less effective, which is part of the
3 tolerance, part of the general addiction problem, and therefore
4 to be still effective for sleep the dose needs to be increased.

5 And those medications are also ... especially the
6 benzodiazepines like Valium. They're counterproductive for the
7 psychotherapy. So people like David Barlow in the States have
8 shown repeatedly that if you combine benzodiazepines with
9 cognitive behavioural therapy the cognitive behavioural therapy
10 is less effective. And we do try to stay away and educate the
11 clients. But with some clients, they are what's called legacy
12 patients. This is a standard term in medical genre where they
13 already come with high doses of the opiates or benzodiazepines
14 and then there's a complex process with their permission to try
15 to reduce and hopefully eliminate those drugs.

16 So it does get complex and that's part of the inter-
17 professional teamwork to work together with a psychotherapist to
18 try to clear the way for the psychotherapy to be the most
19 effective.

20 Q. If a client is engaging in psychotherapy, one of the
21 trauma-based therapies for PTSD, is there typically a timeframe
22 over which that will be administered, I guess, or the client

DR. ABRAHAM RUDNICK, Direct Examination

1 will engage in that?

2 **A.** Yes. So trauma-focussed therapies take quite a few
3 months, sometimes more, if we're talking about complex post-
4 traumatic stress disorder. Even non-complex, meaning there's
5 not necessarily developmental trauma but there are many traumas.

6 So typically for many RCMP officers who have done general
7 duty, and often for military veterans who've done a very
8 traumatic deployment or many deployments, there are many traumas
9 involved. So with a trauma-focussed therapy such as prolonged
10 exposure or cognitive processing, we need to process each trauma
11 or do what's called cluster traumas together which has been
12 shown to be somewhat effective.

13 And so if a person has experienced many deaths of military
14 peers and then also has experienced severe poverty to the point
15 of starvation of locals in your deployment - and I won't go into
16 more visual examples to not stress the audience here today -
17 then it's very difficult to go one trauma at a time. Because
18 some people have hundreds of traumas they've experienced. And
19 so to do this well, sometimes the therapist has to cluster those
20 traumas together. It seems to be effective. There is some
21 research. We need more research to show how effective
22 clustering is, but you can imagine if that's the situation with

DR. ABRAHAM RUDNICK, Direct Examination

1 a client, then we're not talking a few months of therapy. If
2 they're willing to proceed with full therapy it may be in the
3 years of therapy rather than in the months of therapy.

4 Q. Can a client continue almost indefinitely with therapy
5 if it's deemed that it's continue to be necessary?

6 A. Yes, so we're mandated to continue working with
7 clients as long as they need that and want that. The thing is
8 that after a while some clients find it very difficult to
9 tolerate more and more and more exposure even if it's in
10 therapy. Because it's quite triggering, and obviously, there
11 are benefits to that. But the stress related to that ... and
12 although with therapy they're learning to do relaxation training
13 and to do grounding, all of those preliminary techniques to get
14 to the trauma focus. For some people it really is very
15 difficult.

16 And so the therapists can either shift with them at that
17 point to do some less triggering therapy in the interim and
18 there are many other things to address often, including some
19 meaning-making - this person is now trying to build a new life
20 in the civilian world - and there are special techniques like
21 acceptance and commitment therapy that can help with that. Some
22 people just decide to take a break, some clients just take a

DR. ABRAHAM RUDNICK, Direct Examination

1 break from all therapy just to take a bit of a breather from
2 that stress.

3 I should mention that trauma-focussed therapy typically is
4 those three stages ... the preparation part, which is learning
5 to do relaxation and grounding, and then the second part which
6 is the trauma-focussed, and the third part if they proceed
7 through the other parts well is the meaning-making and give some
8 closure. But it doesn't have to be linear. It could be, if
9 need be, jump across the stages rather than the first and then
10 the second and the third. But typically to get best outcomes
11 it'd be preparation, trauma-focussed, and closure with meaning-
12 making.

13 **Q.** Okay, and so it's not unheard of, then, for a client
14 to engage in trauma-focussed therapy, take a break, and then re-
15 engage with it at some point later in time?

16 **A.** Yes, absolutely, including if their first trauma-
17 focussed therapy didn't work as well or addressed well some
18 traumas but not others. So they may try prolonged exposure
19 therapy and it may have worked with two or three of their
20 traumas but hasn't made much of a difference to a third, fourth,
21 and fifth trauma for whatever reason. They may take a break or
22 immediately proceed to, perhaps, cognitive processing therapy

DR. ABRAHAM RUDNICK, Direct Examination

1 for some more generalization and perhaps to address other
2 aspects that prolonged exposure is not the best, such as
3 possibly moral injury.

4 **Q.** Is there a point in time, I take it, then, when the
5 clinician and the client will decide that their treatment is
6 coming to an end when they ... either they don't want to
7 participate in it anymore or they're done?

8 **A.** Yes, absolutely, and now typically in psychotherapy
9 they ... timeline is discussed from early on and the expectation
10 of when it may end, the therapy is discussed early on. But
11 things do change and sometimes additional challenges are
12 uncovered by the therapy. Sometimes an OSI may develop into
13 complex-PTSD. It's uncovered that the person doesn't only have
14 an OSI they actually also have developmental trauma from trying
15 to but they may not have communicated that early on.

16 Once that's uncovered, then there's more work to do that's
17 a bit different in focus, and we have the mandate to continue to
18 work with the client on the developmental traumas if need be.
19 Because if we don't what happens is that it complicates the
20 recovery from the OSI.

21 **Q.** If a client then presented with post-traumatic stress
22 disorder and it was determined, for example, that they had if

DR. ABRAHAM RUDNICK, Direct Examination

1 not a full personality disorder, that they had personality
2 traits that perhaps complicated their picture, you could ... if
3 I understand, you continue to work with them on those
4 personality issues?

5 **A.** We could. We could do it in-house or we could also
6 outsource. There are specialized programs in the province to
7 help people with the very severe personality disorders. We do
8 some of that work such as our dialectical and behavioural group
9 skills training but it's more general, not just for personality
10 traits. It's also for emotion dysregulation in general.

11 But if somebody is identified with a severe personality
12 disorder as a comorbidity we may want them to engage in parallel
13 with, for example, the borderline personality program in the
14 province if they have borderline personality.

15 **(12:00)**

16 **Q.** Okay. And that's something that the client and the
17 clinician can discuss and determine what the best course is,
18 going forward, I take it.

19 **A.** Yes, absolutely.

20 **Q.** Right. When it appears that ... you'd said a moment
21 ago, and perhaps you can clarify for me, if a client leaves, the
22 file is held open for a year, did I understand you correctly?

DR. ABRAHAM RUDNICK, Direct Examination

1 **A.** No. If the client has ended their mental health care
2 at the clinic, all aspects of that, but they still have primary
3 care at the clinic by the family physician or the nurse
4 practitioner, then their file remains open for up to a year with
5 that primary care provider. They're expected and supported to
6 find a primary care provider in the community during that year.

7 **Q.** So if a person is, let's say, finished with their
8 psychotherapy, but they're continuing to see a primary care
9 provider at the clinic, they have a year to get established with
10 a family doctor in their local community?

11 **A.** Yes, or nurse practitioner.

12 **Q.** Or nurse practitioner. And the OSI clinic assists
13 them in that?

14 **A.** We advocate. We may be creative in finding some
15 pharmacare providers who are still available, but we cannot
16 guarantee. It's not in our mandate, nor in our resources. It's
17 ... now we try to go above and beyond and, to my understanding,
18 the referral source doesn't necessarily also have access to
19 those primary care providers. It's really a provincial matter.
20 So we try to at least ensure that those kinds are on the
21 provincial waitlist for primary care.

22 **Q.** Okay. Do you have a sense of, the clients that you

DR. ABRAHAM RUDNICK, Direct Examination

1 have, especially those that are perhaps leaving the Canadian
2 Armed Forces and are VAC clients, how many of them come to you
3 with family doctors or how many of them have experienced
4 difficulty in getting established with family doctors?

5 **A.** I don't have precise stats, but my impression is that
6 many do not have a primary care provider in the community, which
7 was one of the rationales, to my understanding, why primary care
8 was added to our clinic.

9 **Q.** I see. All right. And when a client transitions to a
10 primary health care provider in the community and is basically
11 finished with the treatment that they're going to receive from
12 the OSI clinic, has it ever happened that they are re-referred,
13 for example, by VAC, if they need additional, say, maintenance
14 on PTSD symptoms? Something like that? Does that happen?

15 **A.** Yes, absolutely. For whatever reason. It could even
16 be a new development. Maybe a different mental health
17 challenge. If it's recognized by Veterans Affairs Canada, they
18 can refer clients to the community, and for the first three
19 months after closing the client's file fully, if they're
20 referred back to us for whatever reason, then we fast-track that
21 rather than start from scratch. Although, even if it's three or
22 five years after we close the file for a client, obviously, we

DR. ABRAHAM RUDNICK, Direct Examination

1 have the documentation. So even if it's not fast-tracked, the
2 referral, there's less preparatory work to do because we, by
3 then, know the client quite well.

4 **Q.** Okay, sure. You said earlier in your evidence that
5 you are providing services to family members if their, I guess,
6 situation is related to the client's problem? I'm not putting
7 that very well, but do I understand that correctly?

8 **A.** Yes, you do. And, yeah, I think you put it well. It
9 has to be shown that if the family member improves their mental
10 health, that would improve our client's mental health. So we
11 don't accept family members unless the client is already being
12 provided care by our clinic. And we also offer, as does the
13 community, with VAC support, couple counselling, if needed, for
14 a client and their spouse if that's clinically indicated.

15 **Q.** Okay. So is that provided in-house or is that through
16 the community?

17 **A.** Both.

18 **Q.** Both.

19 **A.** We have limited resources for couple counselling, but
20 we do provide that, if needed. And if our capacity is full for
21 that, at that particular moment, then we ask the referral source
22 to find the solution in the community and my experience is that

DR. ABRAHAM RUDNICK, Direct Examination

1 they usually do.

2 **Q.** All right. One question, just kind of related to
3 things we've talked about before, but the clients that come to
4 you that are ex-military, do you have a sense how many of them
5 ... We've talked about substance abuse, obviously, and that is
6 a comorbidity. Do you have a sense of how many clients use
7 medical marijuana, either prescribed or on their own, as their
8 own form of treatment?

9 **A.** So I'm going to distinguish medical from recreational.

10 **Q.** Yes.

11 **A.** So if we put those two together, many do. That is
12 based on the disclosure to us. Maybe even more do without
13 disclosing to us. And we are fairly clear that according to the
14 research to date, there is no strong evidence to support
15 cannabis, be it medical or recreational, for mental health
16 purposes. There's actually research to suggest that it may
17 worsen some mental health issues. For example, THC cannabis can
18 trigger panic attacks and, occasionally, it can tease out a
19 psychotic disorder. It can, in a sense, cause either a relapse
20 or a first onset of psychotic symptoms. So we educate our
21 clients that it is a legal substance, and so they can use it
22 either medically, if that's prescribed, or recreationally.

DR. ABRAHAM RUDNICK, Direct Examination

1 So we work with them. We do not exclude clients from the
2 clinic who are using cannabis. We continue to work with them
3 and try to optimize their care, educate. Sometimes even we use
4 motivational interviewing. But there are circumstances when
5 it's helpful and we recognize that with the clients. So, for
6 some chronic pain, especially CBD cannabis seems to be
7 effective, based on the research and many clients' experience.
8 And so, and there are medical conditions that are quite unusual
9 for our clients, but things like closed-angle glaucoma and a few
10 other medical indications where it's absolutely indicated and
11 it's been helpful for decades.

12 **Q.** Okay. All right. Doctor, I think we have a document.
13 I just wanted to ask you about Exhibit 264 which is, I believe,
14 a number of - we've talked about some of these - but a number of
15 the changes that have been implemented more recently at the OSI
16 clinic. And, as I said, we may have talked about these, but the
17 first of these is the telehealth and remote mental health
18 services model. So we've talked about that. That's a more
19 recent change and, in particular, precipitated by the pandemic?
20 Correct?

21 **A.** Correct.

22 **Q.** And the second of these in this document is the MRP,

DR. ABRAHAM RUDNICK, Direct Examination

1 or most responsible physician model of care. And you said that
2 you implemented that recently or you and Mr. Daigle?

3 **A.** Yes. We implemented that soon after I joined the
4 clinic.

5 **Q.** All right. The third of these is a category of triage
6 and intake. Have there been recent changes in the triage and
7 intake process?

8 **A.** We reinstated, in the last, perhaps, year or so, the
9 intake weekly meetings. I think they were happening before my
10 time and then, even before my time, they were put on hold. So
11 we re-instituted that. The triage proceeds fairly similarly.
12 Patrick Daigle could probably talk a bit more about the nuances,
13 about the collectivity, for triage and intake between the
14 referral source and the intake staff at our clinic.

15 **Q.** Okay. And that's fair. We'll leave that, perhaps,
16 for him. And the next one also may be better for Mr. Daigle to
17 talk about, but accessing records from other clinics. I see
18 from this that you have developed a checklist for referrals.
19 That's something new at the clinic, is it?

20 **A.** Correct.

21 **Q.** The next is the OSI clinic team. And, again, we're
22 going to leave that for Mr. Daigle to talk about. And, finally,

DR. ABRAHAM RUDNICK, Direct Examination

1 there is a ... oh, there's two, actually. The quality assurance
2 and quality improvement committee. That's something new at the
3 NS OSI clinic, is it?

4 **(12:10)**

5 **A.** Yes, it is. It's something we instituted in the last
6 year or year and a half, and we have a structured process for
7 any new quality project, be it quality assurance or quality
8 improvement, that we have a format to go through so that we're
9 trying to make sure we're not missing aspects of that quality
10 project. And an example of the quality assurance projects could
11 be medication reconciliation which is standardized across the
12 Health Authority. And so we're just part of that. But we need
13 to make sure that when a client comes to the clinic, we're on
14 top of all the medications they're taking. Not just the
15 psychotropic medications, but physical health medications too.
16 So there's a nurse involved and there's the physician involved
17 that make the distinction between what's prescribed by us,
18 what's prescribed externally. And so that keeps it safer. And
19 a quality improvement example would be that rapid shift to
20 remote care.

21 **Q.** Very good. And the last of these - again, we've
22 spoken briefly about it - the client and family council, which

DR. ABRAHAM RUDNICK, Direct Examination

1 you mentioned in your evidence, and the logic model. The logic
2 model is what?

3 **A.** So the logic model is a way to communicate and clarify
4 what the clinic does. It's a standard approach in business
5 studies and our organizational work. And so we've completed the
6 logic model just in the last month or two for the clinic. It
7 took a while because of the pandemic. And now moving on to the
8 next phase of further clarifying care pathways in a structured
9 way in patient journeys. That's a more advanced level for which
10 we're quite hopeful about. And, again, input from clients and
11 families is invited to that and the client and family council
12 are a part of that input.

13 **Q.** Okay. Very good. Thank you, Dr. Rudnick. Those are
14 my questions. Other counsel here may have questions.

15 **A.** Thank you.

16 **THE COURT:** Thank you. Ms. Ward?

17 **MS. WARD:** Thank you, Your Honour.

18

19 **CROSS-EXAMINATION BY MS. WARD**

20 **(12:12)**

21 **MS. WARD:** Good afternoon, Dr. Rudnick. My name is Lori
22 Ward and I represent the Government of Canada and that includes

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Ward

1 Veterans Affairs and Canadian Armed Forces. I just have a few
2 questions.

3 **A.** Hello.

4 **Q.** You told us you began your work at the clinic in late
5 2018. Is that right?

6 **A.** Correct.

7 **Q.** And we understand that, particularly since the
8 pandemic, that online health care may have come a long way, but
9 we also understood that you had, or the clinic had, telehealth
10 in place from its inception, I believe. Is that correct?

11 **A.** Correct.

12 **Q.** So understanding that you weren't working at the
13 clinic in 2016, can you tell us how telehealth would've operated
14 then? This may be a question for Mr. Daigle, and I don't know,
15 but are you able to comment on how telehealth worked since the
16 clinic's inception?

17 **A.** To my knowledge, it was available. How much and often
18 and for what was it used, I do not know.

19 **Q.** Okay, thank you. I think you mentioned that it
20 required specialized equipment though.

21 **A.** Yes.

22 **Q.** What would that have been?

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Ward

1 **A.** So it's screens and special, I think it's like a
2 close-circuit TV that the Health Authority supports. I do think
3 some of that equipment still exists somewhere, but many have
4 moved to the more online. But it cannot be done at home for a
5 client because it is actually secure equipment that's available
6 only at the Nova Scotia Health Authority sites.

7 **Q.** Okay. So the patient couldn't be in their house, as
8 we do today on Zoom or something. They would have to go to a
9 Nova Scotia Health Authority facility. Is that right?

10 **A.** Correct.

11 **Q.** We talked a little about the neuropsychological
12 assessment and I understand that that's somewhat of a lengthy
13 assessment to be done. It can take more than one day. Is that
14 correct?

15 **A.** Correct.

16 **Q.** And so a patient, to have such an assessment, would
17 have to travel potentially to where a provider was who could do
18 that assessment. Is that right?

19 **A.** To my knowledge, that's the traditional way. I have
20 not yet seen a neuropsychological assessment done online. It's
21 theoretically possible. In practice, I would expect it's quite
22 challenging because some of those steps are still not fully

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Ward

1 computerized. Many are. So it may limit the neuropsychologist.
2 And I would defer to neuropsychologist experts on that, but it
3 seems to me it would limit them in the types of steps they could
4 use when they're doing the testing online remotely.

5 **Q.** Thank you. Are you aware, are most of the specialists
6 who do neuropsychological assessments, would they be located in
7 the HRM area or in central Nova Scotia? Are you aware ... or
8 can you comment?

9 **A.** I don't know but I would expect so, yes.

10 **Q.** Okay. We've heard a lot of evidence about the
11 inpatient program at Ste. Anne's Hospital in Montreal. And
12 you're familiar with that?

13 **A.** I am and I toured it with Mr. Daigle.

14 **Q.** Okay. And so what we heard before was that inpatient
15 treatment such as at Ste. Anne's would be for the most severe
16 and/or complex patients. Is that your understanding?

17 **A.** I think it depends on what complexity we're
18 addressing, there are different aspects of complexity. If we're
19 talking about the most severe symptoms, then I would say not
20 necessarily. If we're talking about the most severe destruction
21 to daily functioning, then it seems to me, from what I know
22 about the Ste. Anne's program, that yes, because they have in-

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Ward

1 house rehabilitation.

2 Now that being said, as a psychiatric rehab expert, I would
3 say that the best rehab occurs in natural environments, but
4 there could be preparation in a residential inpatient setting
5 such as, for example, if the person is so cognitively impaired
6 that they need some hands-on help to learn cooking skills or
7 laundry skills and so on. That's quite unusual for our client
8 population but it does occur.

9 More often, when our clinic refers clients to what we call
10 residential settings, it's more for concurrent disorders. A
11 concurrent disorder is when there's both a significant mental
12 health challenge and the considerable substance use disorder.
13 And there's some in the country, some programs like that that
14 have been providing that concurrent disorder care for clients.

15 And you can imagine, if someone is severely substance
16 using, it's very difficult to proceed with their psychotherapy,
17 or even medications, because they may be intoxicated, forgetting
18 and so on, and those residential programs specialize in
19 addressing both issues together within a few months. To my
20 knowledge, St. Anne's is not a concurrent disorder facility.
21 It's more like a residential or inpatient rehabilitation
22 facility.

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Ward

1 **Q.** Yes. And we've heard, as well, that Ste. Anne's
2 requires a patient to be off of substances before they arrive
3 there. Is that your understanding?

4 **A.** That's my recollection. And that, again, is not the
5 way a concurrent disorder facility works.

6 **Q.** Thank you. Do you refer patients to Ste. Anne's
7 inpatient program?

8 **A.** I have not.

9 **Q.** We talked earlier, or you gave evidence, on who the
10 clientele of the clinic is. And that is some current CAF and
11 RCMP members as well as veterans and former members. I'm
12 wondering, where would an employee with an occupational or
13 operational stress injury, such as a paramedic, go for such
14 treatment in Nova Scotia?

15 **(12:20)**

16 **A.** They would use either the Health Authority or private
17 providers. To my knowledge, there's no provincial or national
18 framework to support them similarly to RCMP and military
19 veterans, but there is an emerging initiative, I think led by
20 the University of Saskatchewan, to address that population. So
21 first responders meaning non-RCMP police, paramedics,
22 firefighters, coast guards, and others.

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Ward

1 **Q.** So there's presently no entity in Nova Scotia, like an
2 OSI clinic, for those people.

3 **A.** Correct.

4 **Q.** Mr. Murray asked you about the length of treatments or
5 potential treatments and you talked a bit about various
6 treatments taking months, or many months, or months to years.
7 I'm wondering ... and he asked you if some patients could remain
8 with the clinic indefinitely; you said yes. Are you able to
9 comment on your observation of the longest duration that a
10 patient has been with the clinic?

11 **A.** Since its inception, we have some clients who've been
12 with the clinic since 2016 and, to my knowledge, also based on
13 touring other OSI clinics ... more veteran OSI clinics - some of
14 them have been in place for more than a decade - have some
15 clients who have been with them since their inception.

16 **Q.** And is it true that some patients never leave the care
17 of such a clinic?

18 **A.** True. It's a minority of clients because we do try to
19 help people move on, do better, and not be necessarily involved
20 with us, but if they choose to continue to be involved with us,
21 even if their OSI has been treated well, but they want to
22 continue to address other mental health challenges, then,

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Ward

1 according to Veterans Affairs Canada, then it's patient choice
2 and we continue care for them.

3 **Q.** Are there instances when a patient has been with the
4 clinic for maybe many years and their original diagnosis or ...
5 yeah, their original diagnosis is not responding and various
6 treatments have been tried and are there patients who are in a
7 situation where they're just not getting better?

8 **A.** Yes. That's the population we call "refractory" and
9 that's a standard psychiatry term which means that in spite of
10 evidence-based best practice interventions, medications,
11 psychotherapies - even occupational therapy, in our case -
12 they're not responding well, or at least well enough. And we
13 have fairly precise ways to demonstrate how well are they
14 responding.

15 I don't know if people have talked about outcome measures
16 in this Inquiry, but that is one of our tools to address how
17 well people are doing in an evidenced-based way.

18 **Q.** What are some of those outcome measures?

19 **A.** So based on a platform called CROMIS - C-R-O-M-I-S -
20 that Veterans Affairs Canada has provided to OSI clinics, we
21 use, currently, four measures, soon some more to be added. One
22 is called the "outcome questionnaire" with the numbers after

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Ward

1 that, 45.2. And that's a psychotherapy outcome measure. It has
2 three subdomains in it and it helps learn, including see
3 visually. We graph it on the computer and the client can see
4 that, how their symptom distress, their interpersonal relations
5 distress, and the social role of distress are changing over
6 time. It's a well-evidenced-based measure.

7 The second would be the PCL-5. It's a post-trauma symptom
8 checklist. It's self-report. All of these measures are self-
9 report by the client. And it shows us the four domains of PTSD
10 - the intrusion, the avoidance, the negative cognition, low mood
11 -and their arousal or activity. And we can see over time how
12 that fluctuates and hopefully reduces over time with care.

13 The third is PHQ-9 which is a very well-established short
14 depression scale, and the fourth is GAD-7 which is an anxiety
15 scale and, again, very well-established. And we can see
16 fluctuations within and across these measures.

17 Soon to be added is the DES-2 which is a dissociation scale
18 that I understand is approved by VAC to add to the platform.
19 And we can use additional measures from the clinic that we can
20 purchase and use as needed. But those four I mentioned are
21 those that are routinely employed with a client if they agree.
22 Most clients do consent to do that. And these days, they're

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Ward

1 also doing them online, so they don't need to come to the
2 clinic. They get a secure link and they use a password and they
3 respond to the question so that before I meet the client, early
4 in that day, they've done their CROMIS measures and I can
5 actually see, in addition to the conversation with them, how
6 they've been doing.

7 **Q.** Thank you. And, finally, you mentioned earlier that
8 the patient is the driver of the care. I understand that to
9 mean the patient must be engaged in the process to be
10 successful. Would you agree with that?

11 **A.** For sure, I agree with that, but that's a necessary
12 but not sufficient condition for what we call "person-centered
13 care" or if we just share decision-making. These are standard
14 terms in the clinical literature. And so, for full person-
15 centered care, it's the patient who decides on their care,
16 obviously, with information provided by us, education, if
17 needed, and so on, but, from early on, they set the goals.

18 If a person with PTSD decides that they do not want to
19 engage in trauma-focussed therapy but they want other
20 psychotherapy, let's say interpersonal therapy, to address their
21 transition to civilian life or interpersonal challenges, so be
22 it. That's their decision. So we educate them about the pros

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Morehouse

1 and cons of that type of decision. That's what the "client
2 driving the care" means in a full person-centered way. And if
3 you look at my CV, you'll see that's a big part of my academic
4 work to continue to advance person-centered care, both in Canada
5 and also elsewhere.

6 **Q.** And you have to respect a client's decisions, do you
7 not?

8 **A.** We have to and by law. So that's, ethically, we have
9 to respect that. Clinically, it's also more effective to have
10 the client drive their care. And, legally, we have to obtain
11 informed, capable, voluntary, informed consent or refusal of
12 care options. We can still recommend and engage in a discussion
13 but, by law, it's the patient who decides, unless they're
14 incapable. And for the clients that the OSI clinic serves, it's
15 extremely unusual to have a client who is incapable to decide on
16 their own care.

17 **Q.** Thank you, Doctor. Those are all my questions.

18 **THE COURT:** Mr. Anderson?

19 **MR. ANDERSON:** No questions, Your Honour.

20 **THE COURT:** Mr. Morehouse?

21 **MR. MOREHOUSE:** Yes, I have a few, Your Honour.

22 **THE COURT:** Thank you.

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Morehouse

1 **CROSS-EXAMINATION BY MR. MOREHOUSE**

2 (12:28)

3 **MR. MOREHOUSE:** Good morning, Dr. Rudnick. My name is
4 Thomas Morehouse. Along with my co-counsel, Tom Macdonald, we
5 represent Ricky and Thelma Borden who are the parents and
6 grandparents of Shanna and Aaliyah Desmond. And we also
7 represent Sheldon Borden who is the brother and uncle of Shanna
8 and Aaliyah Desmond.

9 Now I want to take you back to when you conduct an
10 assessment for treatment or an assessment for disability. It's
11 my understanding that before a psychologist conducts an
12 assessment for treatment they require, at a minimum, written
13 consent to disclose personal health information to the referral
14 source. Is that correct?

15 **A.** So, first, my deepest sympathies to the Desmond
16 family.

17 **Q.** Thank you.

18 **A.** And so the consent, typically, would already be
19 available to us because the referral source who refer the client
20 - and that would be, in this type of situation, Veterans Affairs
21 Canada - would facilitate that. Now if we're missing that, we
22 may miss that, then we would return to the client and obtain a

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Morehouse

1 consent - a written, capable, voluntary, informed consent.

2 Q. And if consent is not given, you cannot proceed with
3 the assessment, is that right?

4 (12:30)

5 A. So that's where it gets a bit complex. So it depends,
6 consent for what? Consent is situation and issue-specific. An
7 example would be, a client would say, I'm willing for Veterans
8 Affairs Canada to see all my disability or treatment assessments
9 but, for the sexual part, be it side effects of medications or
10 sexual history, I don't want VAC to see that. And so there's a
11 process to go through. Usually, it's through the Health
12 Authority. There's a standardized process through health
13 records on how to remove parts of the report so only what the
14 client is willing to message out to Veterans Affairs would be
15 provided.

16 Q. And I appreciate this would be probably a rare
17 situation, but if a client gave, like, if they refused wholesale
18 consent to disclose anything to the referral source, you could
19 not proceed with the assessment.

20 A. Well, I would say, we need to help people. So I would
21 say we would engage in a discussion, including the referral
22 source. The referral source has already sent us material, so

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Morehouse

1 they know about the client. And so we'd engage in a discreet,
2 but, and confidential discussion without disclosing what the
3 client doesn't want us to disclose, on the fact that there's a
4 barrier here. We wouldn't just accept things as they are. And
5 if the client insists and doesn't want us to send any reports to
6 Veterans Affairs Canada, then I would ask. Probably the
7 manager, Patrick Daigle, or myself would get involved with VAC
8 and ask, So do you want us to proceed with this assessment,
9 knowing that you would not get a report back? And, based on
10 their decision, we would proceed. And they may decide that they
11 would still want to do that. There's a clear distinction
12 between a disability assessment and a treatment assessment
13 because if the person, the client, has no disability assessment,
14 we don't have the privilege to actually access services through
15 VAC, through VAC's funding. Whereas, if there's already a
16 disability assessment but they just don't want the treatment
17 assessment to go to VAC, that's more grey zone and we would
18 defer to VAC to let us know if they're willing for us to treat
19 without sending them a report.

20 Q. Okay. And would it be a similar procedure if, let's
21 say at the outset, the client gave consent to disclose personal
22 health information to the referral source, they began a course

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Morehouse

1 of treatment at the OSI clinic, but then partway through the
2 treatment process, they decide, for whatever reason, to revoke
3 that consent? Would it be a similar procedure that you would go
4 through if that was the situation?

5 **A.** That is an even more grey zone because we were in the
6 midst of care and funding ... or approval, I should say, for the
7 care has already been provided. And so I would say that we
8 would inform the referral source that we're not sending any more
9 reports based on the client's decision and, unless notified
10 otherwise, we'll continue to provide the care.

11 **Q.** Okay. I also understood through your evidence that,
12 you know, a component of the treatment that you provide the OSI
13 clinic involves family members and spouses, potentially?

14 **A.** Correct.

15 **Q.** And I presume that you would have to obtain a consent
16 to disclose personal health information from the client to
17 disclose to the spouse. Would that be correct?

18 **A.** So, yes, but, typically, the spouse has already been
19 informed and, usually, what happens is the client comes with
20 that family member and they discuss that needs together and they
21 inform that clinician of the client. Then it's taken to the IDT
22 team meeting and, from that, we proceed if both the client and

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Morehouse

1 family member are in agreement.

2 So it would be highly unusual that we had no contact with
3 the family, with member, with the client, when that request is
4 made, but it is possible. So when that happens, then, yes, we
5 would need that consent. Now the different ways of obtaining
6 that consent, it could be on a form, it could be verbal.
7 Typically, we try to put the form, the written, in place too.

8 **Q.** Okay. And I want to pose to you the same question I
9 did with respect to the referral source. If you embark on a
10 course of treatment with the client and, at the outset, you had
11 obtained consent to disclose to the party's spouse, and partway
12 through the treatment they decide, for whatever reason, to
13 revoke that consent, what would you do in that situation?

14 **A.** Same process. I would inform the referral source that
15 we're not sending further reports based on that family member's
16 decision and, unless notified otherwise, we'll continue with
17 that care because ending care in the middle is unsafe and
18 probably considered by most jurisdictions, unethical and,
19 therefore, we would need a very strong reason why to stop care
20 in midstream.

21 **Q.** Thank you, Dr. Rudnick. Those are my questions.

22 **THE COURT:** Mr. Rory Rogers?

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Miller

1 **MR. ROGERS:** I'll defer to the end, if I may, Your
2 Honour?

3 **THE COURT:** All right. Certainly. Ms. Miller?
4

CROSS-EXAMINATION BY MS. MILLER

6 **(12:36)**

7 **MS. MILLER:** Good afternoon, Doctor. My name is Tara
8 Miller. I am counsel representing the late Cpl. Desmond's
9 mother, Brenda Desmond, and, as well, his daughter, Aaliyah
10 Desmond. I share representation with Mr. Morehouse who just
11 asked you some questions. I just have a few questions for you.

12 You talked about the gathering of collateral information
13 and you indicated that it was not routine during intake to
14 involve family members for collateral information. Is there a
15 reason why that was not routine, or is not routine, during the
16 intake process at OSI to involve family members to gather
17 collateral information?

18 **A.** So it is routine to gather it, but not necessarily at
19 the intake stage, because that's the second meeting and the
20 first in-person or online video meeting with the client. And so
21 the triage, the first contact, is fairly short - as I said,
22 about 20 to 30 minutes - and there's already, typically, a

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Miller

1 conversation whether the client is comfortable with a family
2 member joining. But, typically, when I say "routine", I mean
3 common. So, typically, clients, when they see ... when they've
4 only talked to a stranger or a nurse once, they may not feel
5 safe enough, but if they're willing to engage their family
6 member in the intake, then they do. It's just that it's not
7 necessarily a common occurrence and we repeatedly ask for that
8 every time we encounter a client.

9 **Q.** Okay. So the options are started at triage and then
10 move to intake, but if they haven't been able to give consent or
11 permission to involve a family member, you would continue to
12 follow up through the assessment process?

13 **A.** (Nods head "yes".)

14 **Q.** You're nodding your head "yes".

15 **A.** And later.

16 **Q.** And later, yes.

17 **A.** Yes. So yes, yes, and later. So including in care,
18 in psychiatric care, psychotherapy, occupational therapy, as
19 relevant, and, typically, I do this regularly. I ask the
20 client, you know, if they're living with somebody, especially
21 like a spouse, are they willing for the spouse to join at least
22 part of one or more meetings. So for the collateral and also

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Miller

1 for the psychoeducational part.

2 Q. So when you do have permission on both sides -
3 firstly, your client, but, I guess, the spouse of the partner to
4 participate - how do you go about accessing that information?
5 Is it a one-on-one with the spouse and somebody at the clinic or
6 does it involve the patient, the spouse, and the clinic?

7 A. It's usually the patient ... sorry, the family member
8 joining the client, the patient, with that particular clinician,
9 myself, or the psychotherapist, whoever that be, at least for
10 part of the meeting with the client. Sometimes it's the full
11 meeting with the client, depending on the issues.

12 So if we know there's marital discord, as an example, we
13 could ask the client, we would ask the client, are they willing
14 to invite their spouse for the next meeting to come for a full
15 meeting together ... for us and then to better understand the
16 issues and how we can help with that. And that's not couple
17 counselling, that's a family meeting where we meet together to
18 better understand the issues, not necessarily intervening yet.
19 Unless it's something very pressing, and then we may make a few
20 suggestions in real time, but it's mostly gathering information
21 and educating the couple.

22 **(12:40)**

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Miller

1 We also invite families to care plan meetings, so those
2 meetings where the client permits us to invite even their case
3 manager from Veterans Affairs, perhaps a community provider,
4 like an OT or psychologist from the community, so that we can
5 share the care planning and not working at cross purposes among
6 the different providers. Family members are invited to that
7 too. Obviously, it's always the client's decision whether to
8 have them join or not.

9 **Q.** Okay. So what I'm understanding you to say, Doctor,
10 is that there would never be an opportunity for the clinic to
11 engage solely with the spouse without the client there. Is that
12 fair, that the client is always present?

13 **A.** No. There would be an opportunity for that if the
14 client allows that. And some do. Some say, You know what? I
15 know so I want to take a coffee break and just, you know, spend
16 half an hour with my spouse without me. That's fine. But they
17 don't permit us to meet their family member by themselves. We
18 would not unless it's a pressing issue about safety. So we're
19 allowed, like all health care providers, to hear safety concerns
20 of any person, not just family members. A neighbour would be
21 similar, but we can't disclose about the client without the
22 client's permission.

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Miller

1 **Q.** Okay. So assuming you have the client's permission to
2 talk to their spouse or their partner, can you see value in
3 having that conversation with the spouse or partner without the
4 client there, in case there is some reluctance by the spouse or
5 partner to disclose the reality of what's going on behind closed
6 doors at home?

7 **A.** Yes, there is value. There's also value in trying to
8 do it together and ensuring safety for that spouse because their
9 rapport, their relationship with the client, is also quite
10 important. And meeting with someone else without their
11 presence, for many clients, is triggering and could disrupt the
12 clinical rapport, which could be counter-productive. And so
13 there are creative ways of making that work but, in some
14 situations, you know, as I said, if we have a safety concern,
15 even if the client doesn't agree for us to meet the family
16 member, we would inform them that, We have a safety concern.
17 We'd like the family member to inform us of what their
18 perspective is and that part of that meeting is best done
19 without the client.

20 **Q.** Okay, thank you. I just want to ask you a couple of
21 questions about some of the work you've done that's noted in
22 your CV, Dr. Rudnick. There is listed at page 17 of your CV,

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Miller

1 Exhibit 259, a paper, I think, that you published - peer review
2 publication - called **Burden of Caregivers of Mentally Ill**
3 **Individuals in Israel - A Family Participatory Study**. Would any
4 of those mentally ill individuals that would've formed part of
5 that study have had operational stress injuries?

6 **A.** So these were family members of mentally ill people
7 and, yes, some of the loved ones of those family members
8 would've been military veterans because conscription is
9 mandatory in Israel. So the majority of the population
10 experiences at least mandatory military service of two to three
11 years.

12 **Q.** Right. And at a very high level - I mean, certainly,
13 we can get the paper and review - but at a very high level, what
14 were your outcomes and conclusions in terms of the burden on the
15 caregivers of those military veterans by their family?

16 **A.** So what emerged from that study, which was done fully
17 in collaboration with the National Family Members Organization
18 of Mentally Ill People in Israel, was that there are practical
19 or instrumental burdens and there are emotional burdens on these
20 families, and even though both were important, what seemed to
21 have been the most, the highest, burden, the most severe burden,
22 was worry. Worrying about their loved ones, and especially

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Miller

1 because the majority of the participants were elderly parents of
2 mentally ill people. They were worrying especially about what
3 would happen once they're gone. What would happen with their
4 loved ones who have mental illness once they're deceased and
5 they're not providing their support as parents to their adult
6 children.

7 **Q.** Okay, thank you. And my last question, Dr. Rudnick,
8 is in reference to page 33 of your CV. This is a paper, it
9 says, "In Preparation Submitted". And it's **Predictors of**
10 **Outpatient Mental Health Treatment Response of Military**
11 **Veterans**. Has that paper been published yet?

12 **A.** No, it has not. We've presented the findings in
13 academic conferences but we've decided to expand the sample
14 size. We only had 61 participants then because we had fairly
15 rigorous exclusion criteria to make this study constructive.
16 And so we decided to expand it, hopefully getting to at least a
17 hundred participants so we have more statistical power to
18 analyze predictors, especially also to include demographics that
19 we just didn't have enough numbers of, such as women, at that
20 stage. Now we'll probably very soon have enough women as a
21 subsample to analyze for.

22 **Q.** For those findings that you were able to make and

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Miller

1 present, based on your sample of 61, can you share with us,
2 again, at a high level, what those findings were in terms of
3 predictors about patient mental health treatment response of
4 military veterans?

5 **A.** Yes. The most prominent predictor that came out loud
6 and clear statistically was that, even in this fairly small
7 sample, depression is a predictor of negative outcomes. Meaning
8 if a client has depression as a primary disorder, obviously,
9 we'll provide treatment for that, but that predicts that they
10 won't do as well as other clients. And, therefore, we added
11 interpersonal therapy. This was driven by data, the addition of
12 another evidence-based psychotherapy that isn't in the core
13 mandate of Veterans Affairs Canada, but we decided that even
14 though it's not a trauma-focused therapy, based on our data and
15 other reasons, it's valuable to add that to the mix of our
16 toolbox. And we have provided IPT since.

17 **Q.** Yes. Okay, thank you. You mentioned that earlier.
18 So that was in response to this significant statistical finding
19 that depression would be a predictor of negative outcomes.

20 **A.** Yes.

21 **Q.** Okay. Thank you, Doctor. Appreciate your time.

22 **A.** Thank you.

DR. ABRAHAM RUDNICK, Cross-Examination by Ms. Miller

1 **THE COURT:** Mr. Adam Rodgers?

2 **MR. RODGERS:** Thank you, Your Honour.

3

4 **CROSS-EXAMINATION BY MR. RODGERS**

5 **(12:47)**

6 **Q.** Good afternoon, Dr. Rudnick. My name is Adam Rodgers.

7 **A.** Hello.

8 **Q.** And I represent Cassandra Desmond who is the personal
9 representative of Cpl. Lionel Desmond. I appreciate you taking
10 the time to provide evidence here today. I have some questions
11 for you, Doctor.

12 I wanted to start with something that stuck out in your CV
13 to me. You have a PhD in Philosophy. You've taught medical
14 philosophy, it looks like, at Western and McMaster, and one
15 doesn't have to look too far, just searching the philosophy of
16 medicine online, to find your course that you developed at the
17 University of Tel Aviv. I have a couple of questions flowing
18 from that but I wonder if, first, you might provide us with a
19 brief explanation of what "philosophy of medicine" means or what
20 that might entail.

21 **A.** Okay, thank you. So philosophy of medicine - and I'll
22 expand it to philosophy of health, more generally - is an

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 application of philosophical methods and insights to medical
2 practice, including clinical care, health research, health
3 education, and health administration - the four pillars of
4 health care. And there are lessons to be learned from that.
5 The most well-known application is in bioethics or, more
6 narrowly, medical ethics. And so health care has been
7 revolutionized since the late '70s because of the change in how
8 medical ethics, and health care ethics, more generally, have
9 been put in place, initially in the States and then rapidly
10 expanding across the world.

11 Therefore, capable voluntary informed consent is a
12 mainstay, it's a necessity, of health care these days. It was
13 not until the early 1980s, which is astounding, but that is the
14 situation across the world. And so that's one clear important
15 application of ethics to health care.

16 **(12:50)**

17 But there are other aspects. So that course I developed at
18 Tel Aviv University is more about philosophy of science applied
19 to medicine, understanding rigorous general methodology. I
20 won't go into the fine technical detail, but the way evidence is
21 developed, including in health care, it's important to think
22 about philosophically because even with our current standards of

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 randomized control trials in clinical research, which is the
2 gold standard, they're not perfect. And more and more, they
3 methodologically, literature is teasing out now that RCTs are
4 not necessarily the only way to go in a rigorous way. And so
5 philosophy of science can help us understand the basic
6 assumptions of that.

7 An example would be in NF1 trials. So NF1 trials are
8 studies where the same participant experiences both experimental
9 intervention as well as the control interventions, back and
10 forth. Obviously, you can't do it with surgery - fine - but you
11 ... and not with most psychotherapies, but you can do it with
12 some medications. And so NF1 trials, according to many
13 methodological experts and philosophers of science, is probably
14 even better than randomized controlled trials because the
15 conclusions from RCTs are group conclusions. It's not for that
16 individual. Whereas an NF1 trial, that is randomized. Actually
17 speaks to that individual participant. So it's one level better
18 than an RCT. That's an example of how to apply philosophy of
19 science to health care.

20 Q. And are there particular schools of philosophy to
21 which you ascribe, or a particular school? And I'm wondering
22 how that might influence the development of the OSI clinic, if

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 at all? If there's a particular way that you're applying that
2 to the development of the OSI clinic.

3 **A.** So from the philosophy of science perspective, I
4 mostly use critical rationalism. It's a minority school of
5 thought in philosophy of science. It's all about no certainty
6 and trying to continue to refute and improve knowledge, knowing
7 that we'll never have certainty.

8 More practically for the OSI, I use a lot of my ethics
9 background. And, especially, there's a paper I published in
10 2014 based on work I did in British Columbia and it's about
11 health administration ethics. And what I highlighted there is
12 there are three simple basic principles that should drive all
13 service, delivery, and development, which is being person-
14 centered, and that can be unpacked in many ways. Being
15 evidence-informed. And, on purpose, I say evidence-informed and
16 not evidence-based because we do need to continue to develop
17 evidence. So it's not just what's worked. We need to continue
18 to grow evidence. And then last, but not least, is socially
19 responsible which, again, can be unpacked into fiscal
20 accountability and moral accountability and legal
21 accountability, but those three clusters, based on my
22 philosophical work, really capture much, if not all, of how

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 health care should be delivered, developed, evaluated, and
2 taught.

3 **Q.** Well, it seems to be an emerging field. And, of
4 course, you're identifying that it's in the recent decades.
5 Critical rationalism certainly seems to be the leading
6 philosophical school at this time, so I guess that's encouraging
7 in some ways. I guess I don't want to have too much of a
8 theoretical discussion with you, Doctor, although I would like
9 to, but that's not the most pressing use of our time, perhaps.

10 I do want to ask you about a few topics that I think relate
11 to that. Maybe I want to start with what health, the term
12 "health" might mean for a soldier coming back from combat with
13 PTSD, perhaps with mild brain injury. You know, that's a
14 different concept than for a person in that circumstance. And I
15 guess maybe you can describe that and then talk about how that
16 is conveyed to the patient.

17 **A.** Okay. So, first of all, just a remark about critical
18 rationalism. It is not a majority. It's not popular in
19 philosophy, but it is popular among scientists and has been
20 since it's inception with Karl Popper.

21 So, going back to health, there is a World Health
22 Organization definition of what "health" is, and I think it is

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 very user-friendly because it's very ... the WHO has said that
2 for many decades. Health is not just the lack of disease.
3 Health is well-being that's biological, psychological, social,
4 and that also suggests that it could also be spiritual. I would
5 expand it to cultural which is a bit different than social. And
6 the way it applies, in practice, for health care providers like
7 myself; more generally, the clinic, is we do address not just
8 treating symptoms and trying to get people into remission of
9 symptoms, we try to look at their life with them wholistically -
10 W-H-O. Not H-O, holistically, but W-H-O, from the word "whole",
11 "wholistically", so that we're addressing biopsychosocial
12 aspects of their care. And when we cannot provide an
13 intervention in some aspect of that, we would be advocating that
14 someone else be doing that, like, outsourcing that.

15 It does speak to what I mentioned earlier about
16 biopsychosocial formulations. So we don't just diagnose. When
17 we assess a client, we try to do with them a biopsychosocial
18 formulation which is a better understanding of why they're
19 experiencing what they're experiencing mentally and otherwise
20 these days based on their background, on the environments they
21 live in, sometimes even genetics if there's enough relevant
22 genetic information, their upbringing, their personality makeup.

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 All of that put together in a standard term called
2 biopsychosocial formulation. And it really helps determine the
3 care provided because the diagnoses are not sufficient to
4 determine mental health care. We need much more than diagnoses
5 to determine the whole package of mental health care.

6 Q. And is it, in your experience, difficult to explain
7 that to a soldier that's coming back and has, you know, a new
8 life and new limitations, perhaps, and, you know, a new
9 identity, a new health identity?

10 A. Often, what we find is that veterans, especially if
11 they've been released from the military recently, but even some
12 of those who've been released decades ago are still, in a sense,
13 grieving the loss of their military identity. Not everyone, but
14 many. And so, as part of that, there's a process of coming to
15 terms, including with some health limitations, but not just
16 health limitations. The fact that they may not work again or
17 that they need to find a second ... a new career. And some may
18 come with relevant skills to the civilian life, but some may
19 not. If they work in a very specialized area of the military,
20 especially if it's highly confidential, it may not be
21 translatable to the civilian life and, you know, they may be
22 sitting in college learning a vocation with people who are 20

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 years younger than they are. And so that's another challenge
2 that many of our clients are trying to come to terms with.

3 Interestingly, and maybe paradoxically, trying to address
4 the health aspect, the positive health, the well-being,
5 biopsychosocially and maybe culturally and spiritually. It's
6 not difficult with people who have mental health challenges.
7 It's a very common-sense notion that one wants to live with
8 well-being, all aspects of one's life. It's really those
9 transitions, and also those road obstacles, the barriers, such
10 as needing to go to a new career or coming to terms with
11 retirement with severe health challenges, that requires a lot of
12 work.

13 Q. Thank you, Doctor. Now switching topics slightly, but
14 another question on philosophy of medicine, in a way, and it's
15 to do with privacy. Now normally, Doctor, the public interest
16 in somebody's health information is quite low and, thus, privacy
17 is a paramount consideration. Are there any discussions taking
18 place, or do you consider, that the privacy of a soldier, of a
19 former soldier, may not have that same calculation, that there
20 is a larger public interest and that privacy considerations
21 might be different in those circumstances? And I'm thinking of
22 that in terms of requesting records from other institutions.

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 For an OSI clinic, perhaps consent is less important. Is that
2 something that you've considered or you have any observations?

3 **(13:00)**

4 **A.** So, once again, I can distinguish clinical
5 considerations from ethical, from legal considerations. And
6 although they interface and overlap, but they're not the same
7 conceptually and even practically.

8 From a clinical perspective, to help a person, we need
9 their working relationship. So if we bypass them and inform
10 another institution that they're in our health care, by the fact
11 that we're asking for their documentation, and they disallow
12 that, then, clinically, we're disrupting rapport.

13 Ethically, as in modern medical ethics, voluntary, capable,
14 informed consent, is a mainstay. We cannot and should not
15 bypass it.

16 Legally, there are exceptions, but those exceptions
17 typically wouldn't apply to obtaining more information from
18 another institution. They would be more about warning and
19 protecting the public, you know, as is well-known in legal cases
20 across the world, California and so on, and there's precedents
21 in Canada. So we're very limited when a client says, Thou shalt
22 not do this or that with my personal health information, but we

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 have discussions. And, often, what happens is people, clients,
2 change their minds because now they understand better, after
3 discussion, why it's to their benefit that we obtain more
4 information because we'll provide better and safer care.

5 Q. Thank you, Doctor, no, that's helpful. And last, I
6 guess, on the topic of the philosophy of medicine, under that
7 general topic, is free will and the competence to refuse
8 treatment. Do you encounter situations, Doctor, with a former
9 combat soldier, somebody who has PTSD, perhaps has suffered
10 concussions and has a brain injury, where the decision to accept
11 treatment is something that may be beyond their capabilities and
12 that they need more guidance or more direction in that regard?

13 A. Mmm.

14 Q. One of the considerations, one of the ...

15 A. So once again ...

16 Q. I'll tell you the reason I ask, Doctor, is this. And
17 I want to ask you a little bit more about being able to provide
18 services to rural Nova Scotia from the clinic in Dartmouth. In
19 this case, Cpl. Desmond decided and wished to move back home to
20 Guysborough, to rural Nova Scotia, and that presented some
21 challenges in terms of accessing services. Would there ever be
22 a scenario where you would envision somebody being told, Well,

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 no, you need to live close by this clinic because that's where
2 you can get treated? And you don't know ... this isn't in your
3 best interests to move back there. We're telling you this is
4 where you need to be.

5 **A.** So I'll focus on the law. Obviously, there's also
6 ethical and clinical considerations but, here, they overlap very
7 much. Unless the person is formally incapable to make decisions
8 about their own health care - and there's legislation governing
9 that - then they make their decision. There are some
10 discussions for people who are incapable. I have published
11 about that too. So if they're incapable to decide on their own
12 mental health care, are they incapable to decide on their
13 residence? And I've published against that. I have a colleague
14 who has published for that. So that may be a bit of a grey
15 zone. But, unless, legally, it's determined, such as by the
16 attending physician or by guardianship - whichever mechanism it
17 is - that the client is incapable to make a decision on their
18 own mental health care, then their choice determines the care
19 and where they get that.

20 My experience with the client population we serve at the
21 OSI clinic is that even if sometimes, some clients make poor
22 choices, they're still capable choices, typically. Now there

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 are conditions, mental health conditions, where the index of
2 suspicion would be higher regarding capacity to make a decision.
3 So, typically, we'll know that would be someone who has a
4 primary psychotic disorder. But, that being said, most people
5 with primary psychotic disorders, such as schizophrenia, are
6 still capable, determined capable, and the law defaults to
7 capacity, the law does not default to incapacity. So the onus
8 of demonstration of incapacity is on the person determining
9 incapacity rather than on the patient. That's my understanding
10 from my work across the country. And so I would say,
11 determining where a client wants to live is up to the client.
12 Now if ... these days, as we're going through a lot of change
13 with remote care, a client living anywhere in Nova Scotia could
14 receive care from our clinic unless they refuse to do that even
15 by phone, because we do provide phone care for people whose
16 internet unfortunately is not reliable.

17 Now it can't be the full package of care by phone because
18 some psychotherapies cannot be done by phone, but psychiatry
19 management, some occupational therapies, some psychotherapies,
20 can be done by phone.

21 Q. So your approach would be then, short of incapacity,
22 legal incapacity, that treatment decisions would be discussed.

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 If you thought the client, the patient, was doing something
2 wrong, not taking good advice or not listening to good advice,
3 you would prefer to discuss that with them rather than impose
4 anything on them.

5 **A.** I probably could be legally penalized for imposing
6 anything on clients. It's just illegal.

7 **Q.** Yeah. No, that's right. Okay, thank you, Doctor.

8 In leading the clinical care side of the OSI clinic, are
9 there examples that you've drawn upon, upon which you've drawn,
10 from international, you know, other countries, other clinics,
11 internationally, to establish what you've done here in Halifax?

12 **A.** Yes. So both in Canada and outside. Although, in
13 Canada, I didn't focus, until I moved to Nova Scotia, on
14 military veterans. I did treat people with PTSD and other
15 mental health issues, and especially through my leadership
16 experience in British Columbia and Ontario, southern and
17 northern Ontario. That administrative experience and training
18 has helped a lot to try to not just provide good care, but to
19 also continuously improve systems, have mechanisms for that.

20 So if we can make a difference in people's lives and we're
21 missing on some of that, then we do. That's part of what our
22 quality assessment assurance or quality improvement committee is

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 doing. So putting those mechanisms in place is something that
2 I've learned across the years in Israel, in Canada. I must say,
3 my military experience in Israel was helpful too. My first term
4 was in the front, but my second term, and then Reserves, was
5 more administrative. And military administration is quite
6 structured. So that always informed my work in Canada on how to
7 structure processes in administration.

8 Q. I want to talk to you, change topics, Doctor, and talk
9 about providing care to the rural areas of Nova Scotia. And I
10 recognize now that you've talked extensively about the
11 telehealth and the video connections and how that can work, so I
12 won't, I'm not going to revisit those areas, but I want to talk
13 about local health providers. And in different areas outside of
14 the Halifax region, it would be expected, I think, that veterans
15 would be receiving care from local providers. That's fair to
16 say. Correct?

17 And it seems, in many cases, it is beneficial in some ways,
18 in terms of, specifically with PTSD, I would say, for veterans
19 to be in around nature, in rural areas for other reasons. And
20 they may have social and family connections that they may be
21 benefitting from as well. So providing health care under those
22 circumstances, through local providers, is there anything the

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 OSI clinic can do to either establish a network - a referral
2 network - or to provide some education opportunities for health
3 care providers around the province that aren't within the OSI
4 structure itself, but would be still providing similar care?

5 **(13:10)**

6 **A.** So we already do some of that. For local providers,
7 we, even if they're in the Halifax area, HRM, we always invite,
8 if they're involved with our clients, invite collaborative care
9 opportunities and mutual learning. And, in addition, we are
10 opened and available to do education. For example, we provide
11 education to Veterans Affairs Canada case managers. We're
12 always happy for other interested parties to get involved and
13 we're happy to learn from others because the expertise in
14 providing care for OSI is not just at OSI clinics. There's some
15 well-trained and well-practicing community providers who do good
16 psychotherapy. And there's some very specialized resources out
17 there that we don't have. I mentioned the TMS, but there's also
18 specialized psychotherapies that we just don't have and that may
19 be more helpful for clients who I call "refractory". So clients
20 who've gone through the evidence-based approaches, and it's not
21 working well enough, and then they need something very, very
22 specific like brief psychodynamic therapy, possibly. There's a

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 centre of excellence in Halifax for that. Occasionally, we
2 collaborate with them. So there's all sorts of opportunities
3 like that, we're very willing.

4 That being said, the main funder, which is Veterans Affairs
5 Canada, has a clear mandate, to my understanding, for OSI
6 clinics which is, primarily, direct care to clients. And there
7 are other deliverables, but they're not the primary deliverable.
8 The primary deliverable is to provide clinical care to clients
9 referred to us by Veterans Affairs Canada and RCMP Health
10 Services.

11 **Q.** Okay. So it hasn't been ... you haven't received a
12 directive - I don't mean that in a technical sense - from
13 Veterans Affairs to establish some educational structure for
14 other outside providers around the province?

15 **A.** Not to my knowledge. At least not in a very
16 systematic way. That being said, there are collaborative
17 opportunities. The Health Authority in Nova Scotia has a fairly
18 new province-wide educational program for mental health care
19 providers. I think it's primarily for the Health Authority
20 employees and physicians but, that being said, they may be able
21 to expand, and possibly then to join forces with us,
22 specifically for OSI education. We've already been asked,

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 before the pandemic, to present there on PTSD, pandemic put the
2 hold on that. So there are emerging opportunities but it would
3 be, I think, quite interesting to hear from Veterans Affairs
4 Canada, as the main funder of OSI clinics, what do they think
5 could be the scope beyond the main deliverable.

6 Research and academic education would be another example.
7 So some of us do research, including, especially, research for
8 OSI clients, but it's not a primary deliverable, to my
9 understanding. And the way I see health care, it's organic,
10 dynamic, and ongoing. And the statistics worldwide, especially
11 from the VA in the US who is the main provider of research for
12 military veterans in the world, is that only roughly 50 - 50 -
13 percent of people with military PTSD respond well to all the
14 available evidence-based interventions. Those are the three
15 trauma-focused therapies and antidepressant medications as the
16 mainstay.

17 So another half of the population we serve, if we look at
18 the American statistics, still need more R and D - research and
19 development - so we can find more solutions to help them.

20 **Q.** One of the ...

21 **A.** And so I think of R and D as a core part of what a
22 health care system does.

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 **Q.** One of the key papers that you're working on, and it
2 stuck out to me - you were already asked about this by my
3 learned friend, Ms. Miller, about the predictors of outpatient
4 mental health. And you mentioned, Doctor, that you're looking
5 to add to that research. And I wonder if you can tell us
6 whether part of the addition that you're looking to do, or
7 whether you've already reviewed this, is the African Nova Scotia
8 experience particularized in the military. And, you know, is
9 that something where you've had enough research done to make any
10 observations or any preliminary conclusions? And, just
11 generally, how do you account for the African Nova Scotia
12 experience in OSI therapy or OSI treatment?

13 **A.** That's a really important question. We try our best
14 to be culturally sensitive and diverse and inclusive. The
15 research is lagging. There's some from the States, but we need
16 more evidence to see what helps better for some socially-
17 disadvantaged, marginalized, racialized communities. And I
18 would say, also our indigenous communities too. And so we have
19 some clients at the clinic. We are under-represented, to my
20 knowledge, of racialized indigenous clients, and that is an
21 important question. Could we and should we - and I will say yes
22 - have more clients who are African Canadians, indigenous people

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 who've served in the military. And RCMP. There may be reasons
2 why we are under-represented there, but I'm not sure it's
3 different across other OSI clinics. And it's more work to do.

4 **Q.** Thank you, Doctor. Now, just, now, specifically with
5 ... I wanted to ask you a little bit about the treatment of
6 post-traumatic stress disorder with dissociation. And it seems,
7 from what we've heard so far, that there may be difficulties in
8 treating the PTSD with dissociative episodes or dissociative
9 disorder because the exposure therapy that you may want to
10 employ to treat PTSD may, in fact, exacerbate the dissociative
11 symptoms. And so, I guess, how do you approach that dilemma?
12 Or do you see it as a dilemma?

13 **A.** It is a challenge. Unfortunately, it's a common
14 challenge. It's a common challenge for people with PTSD, and
15 not just military PTSD. But it's what we would call the bread
16 and butter of psychotherapy for people with PTSD. Often, there
17 will be dissociative symptoms. Sometimes even a dissociative
18 disorder is a comorbidity. And it's part of what the
19 psychotherapy does with the person. If it's not extreme, then
20 it's manageable within their standard psychotherapies, such as
21 trauma-focused therapies, but the extreme forms do require more
22 specialized approaches. Extreme forms would be what I think one

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 of the previous witnesses called "fugue". So that's ... a fugue
2 is when a person is found in another place. Doesn't remember
3 how they got there. Never leave there for months with a
4 different identity and didn't connect these two persona. Or
5 dissociative identity disorder. What was once called "split
6 personality". That's extreme too. And in Israel, I treated at
7 least one client who became catatonic, as if he had
8 schizophrenia when he was triggered. So he was mute.

9 So those are extreme forms, but the more moderate, common
10 forms would be depersonalization, when someone doesn't feel the
11 same as they usually do. They may even have an out-of-body
12 experience, derealization, where the environment feels and looks
13 different and, obviously, they can't function well in that type
14 of experience. It could be just dissociative amnesia. They
15 have blocks of their memory deleted that they just cannot
16 access. Could be a few minutes or even a few hours. So a few
17 examples of that. And the psychotherapies would work with them
18 in grounding, helping them remove themselves from that
19 dissociative experience ASAP so that they can go back to
20 functioning through the psychotherapy session, and so on.

21 Unfortunately, with some clients, it doesn't work and they
22 continue to be triggered with dissociation. And then,

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 eventually, after we've tried many approaches, the focus of the
2 therapy may shift to less trauma-focussed and other modalities
3 that may still be helpful to them in their lives. Another
4 unfortunate situation is that there are no specific medications
5 for dissociation. Unlike depression and anxiety and even
6 nightmares, for actual dissociation, to this day, there's no
7 evidence-based medication.

8 **(13:20)**

9 **Q.** Is it necessarily the case that somebody with
10 dissociation is going to be able to experience temporary relief
11 only or is it possible that you can treat somebody with
12 dissociative ... I'm thinking particularly of derealization,
13 which there's some evidence that we may be dealing with here,
14 but is that a temporary relief only or can somebody be cured of
15 dissociative episodes?

16 **A.** So I think it's very important, going back a bit to
17 philosophy of psychiatry, that in no psychiatric condition,
18 mental disorder, do you really talk about cure.

19 **Q.** Thank you. All right, good.

20 **A.** Unlike many other types of health conditions, be it
21 diabetes, be it other ... lung problems, we often talk about
22 remission where there are no symptoms currently added in, but

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 that means there may be a relapse in the future. And so we both
2 provide care in order to try to achieve remission, but we also
3 provide care to try to achieve relapse prevention so that
4 relapse doesn't occur. But there are situations where, even
5 with the best care and the best coping of the client, relapse
6 will occur. Imagine life circumstances when a loved one dies
7 and it triggers a traumatic memory in spite of being in
8 remission for many years. That's an example where the person
9 may need some more sessions of care or maybe a medication again.

10 So we're talking about remission, and dissociation would be
11 the same, like, as would be PTSD symptoms in general, and
12 depression and anxiety. We can achieve long-term remission, but
13 there's no guarantee there will not be relapse. That's how we
14 would conceptualize this. And with dissociation, because it's
15 so closely linked to triggering of traumatic experiences, then
16 anything in the environment can trigger dissociation, even if
17 the client has been doing well for long. And some of these,
18 what we call cues, these triggers, may be invisible to anyone
19 else, unnoticeable. But, for that client, because of their
20 particular life experience with those traumas, that may be the
21 cue and then they either have a flashback or they go into
22 dissociative amnesia or they have a panic attack. Whatever the

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 symptomatology.

2 Q. Thank you, Doctor. That's very helpful.

3 I wonder ... I want to ... I don't know how much you know
4 about Cpl. Desmond and his own particular diagnosis, but I
5 wanted to just ... I wanted to see how the OSI clinic now -
6 because we're trying to be forward-thinking here - might treat
7 somebody displaying similar symptoms and have come with similar
8 diagnoses. We know that Cpl. Desmond was treated for five or
9 six years, he was receiving therapies of different kinds. He
10 had been diagnosed with PTSD, depression. He had three
11 concussions that we know about, so probably mild traumatic brain
12 injury, some evidence of dissociation, some suggestion that he
13 may have moral injury, and marital issues.

14 So, first of all, I guess, where would a condition or a mix
15 like that fall in the levels of complexity that you're
16 accustomed to dealing with at the OSI clinic and how would you
17 approach a situation like that?

18 **THE COURT:** Mr. Rodgers, hang on for a sec. Mr. Rogers.

19 **MR. ROGERS:** Thank you, Your Honour. I'm just a little
20 concerned with the nature of the question that's being put to
21 Dr. Rudnick. He clearly did not see Cpl. Desmond, hasn't looked
22 at any of the record, and is really being asked for a *post facto*

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 diagnosis, and I think it's going beyond the scope of what would
2 be fair to be asking this witness about.

3 **THE COURT:** Mr. Rodgers, I know you've asked the
4 question, and I would make this observation, that when you're
5 trying to look at what happened in Ste. Anne's and saying, Well,
6 how it might be different in OSI Dartmouth today, I mean, if you
7 look ... you need all the people, all the intake, all what was
8 said, the qualifications of the people that were in Ste. Anne's,
9 in looking and making the decisions and how that would compare
10 to all of the intake and all of the inputs that would come to
11 bear in Dartmouth. And I think it's a question that is beyond
12 what would be fair to put to Dr. Rudnick at this point in time
13 because I don't think it would be particularly productive in
14 providing the Inquiry with information that it might require.
15 So I'm going to ask you to move on.

16 **MR. RODGERS:** That's fine, Your Honour.

17 **THE COURT:** Thank you.

18 **MR. RODGERS:** Dr. Rudnick, I wanted to ... actually, the
19 last topic I wanted to cover was preparing soldiers. And, you
20 know, if you wanted to ... preparing soldiers for battle,
21 preparing soldiers ... you know, somebody like Cpl. Desmond who
22 comes from a place where you don't lock your door and, all of a

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 sudden, you're gone to a war zone in a country you're not
2 familiar with. How would you ... or do you have any thoughts on
3 how you might prepare a soldier psychologically to deal with
4 that kind of circumstance?

5 **A.** So, first, I would caveat this that I'm not an expert
6 in military psychiatry and actual military members, because when
7 I was working at the IDF in Israel, I was a general
8 practitioner. And even after I was trained as a psychiatrist, I
9 worked in medical administration - not in psychiatry - in the
10 military. But I treated veterans there, but I do have the
11 experience across two countries who are quite different in their
12 military because it's mandatory in Israel and it's not in
13 Canada. And so the question could be, How do you prepare a
14 whole population who needs to go to serve in Israel? And the
15 answer is, they're screened. So from early on, at age 16 or 17,
16 when the first contact with the military occurs in Israel,
17 there's an initial screening and anyone who's flagged as
18 possibly having mental health challenges is sent to further
19 assessment. Those who are not flagged and are considered
20 mentally and physically healthy enough to start to serve, are
21 then separated, based on health, into combat and non-combat.
22 I'm talking mostly about men. Now it also applies a bit to

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 women there. But only to serve in combat, and that would be
2 infantry, artillery, and others - tanks and so on - and special
3 units. They have to have a clean health bill other than very,
4 very minor issues.

5 So the first step is screening in and out, which is quite
6 important, because that's one of the strongest predictors, not
7 just in the military, in general, that if people come healthier
8 into a difficult situation, then they'll come out, most likely,
9 not too unhealthy. That's one.

10 Now, that being said, these types of very stressful
11 situations are extremely - could be extremely traumatic. The
12 statistics for many years in Israel, in the IDF, was that the
13 highest rate of suicide in the military there was the first
14 three months because that was considered the most stressful.
15 That's the basic training for combat soldiers.

16 So we know it's not just combat, but other very stressful
17 physical and social situations, increase the rate of suicide.
18 So the military here and there has the mental health
19 professionals to address that if recognized in real time. So
20 that's the second stage of assessment. To my mind, those
21 services have to be available, including for combat soldiers, so
22 that if anything is flagged, it has to be assessed. It can't

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 just be left to commanders and peers who do not have the
2 professional skills to assess and help with that.

3 And the third is, once an issue is identified, it has to be
4 treated early on. There's a very well-known (inaudible)
5 principles from, I think it's from World War 1, where soldiers
6 who had, at some point it was called "shell shock", now we call
7 it PTSD, were treated on the spot in real time, no delay, and
8 they showed, even in World War 1, that they could return then to
9 full functional, many of them, to full functionality and,
10 therefore, prevent what they called a bit later "shell shock".

11 So we know from the statistics today that soldiers who
12 develop acute stress disorder, which is very similar in
13 presentation to post-traumatic stress disorder - it's just
14 happening now - during or soon after the trauma, up to 50
15 percent of them will develop PTSD. So early intervention, like
16 in all mental health care these days, is crucial to try to
17 reduce that, what we call "conversion", from ASD to PTSD, to
18 prevent the development of a more persistent disorder like PTSD.
19 So all those services have to be available. I'm not privy to
20 the fine details of the Canadian Armed Forces. I know there are
21 mental health services but, in principle, those three stages are
22 important.

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 (13:30)

2 And the last, but not least, stage, is once a person is not
3 doing well, even in, with early intervention, ongoing services,
4 including after their release, have to be available, and they
5 are through military clinics and through services like the OSI
6 and community providers. We need better statistics, I would
7 argue, to better know how well are we doing, not just locally
8 and provincially, but nationally, across all OSI clinics and
9 community providers, because a large portion of military
10 veterans receive mental health care by community providers, not
11 by OSI clinics. I would argue strongly, we need statistics for
12 that to know what works, what doesn't work. It's all those
13 levels of the journey of a soldier, and then a veteran, and
14 there are opportunities to do that. Right now, my clinic is
15 doing research, granted research, by the Canadian Institutes of
16 Military and Veteran Health Research, on data of mental health
17 of veterans across the country. And we'll be reporting the
18 results by end of this month to silver and the funder, True
19 Patriot Love. Hopefully, that's one step in the process of
20 having a better understanding of what's happening provincially
21 and nationally so that we can better plan. Health care has to
22 have data that's robust and systematically collected to plan it

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 well. Otherwise, some of us may be spinning our wheels.
2 Sometimes, we may be providing interventions that may not be the
3 most effective. We may have gaps that we're not identifying.

4 So I'm sorry if I'm using this opportunity for a call. I
5 don't know if it will translate into something operational, but
6 I do think, like the Americans who have the VA in the US, with
7 robust data, we need a data set that's national so we can use
8 that to improve services.

9 **Q.** Thank you, Doctor. And just a final question, and
10 it's a follow-up on what you were just describing. Would you
11 then want to see, as part of a study, or are you doing this as
12 part of your study, some data collection or analysis of early
13 interventions, i.e. post-battle or post-deployment, like, almost
14 immediate interventions?

15 **A.** No. Unfortunately, our mandate for this particular
16 study is for veterans, not for members of the Canadian Armed
17 Forces, but I would hope, and I imagine, that this ... there are
18 some data for the Canadian Armed Forces. It's more challenging
19 for veterans because it's a more decentralized system. There's
20 Veterans Affairs, but the providers are OSI clinics and
21 community providers. It's more challenging, but still
22 worthwhile, collecting those data. And, eventually, also fully

DR. ABRAHAM RUDNICK, Cross-Examination by Mr. Rodgers

1 interfacing the Canadian Armed Forces with the Veterans Affairs
2 Canada forces. There are projects that have done that which is
3 great. We're uncovering that with our research. But make it a
4 more systematic level.

5 In any case, for the veterans, I would say we need a more
6 coordinated, or maybe even centralized, database so we can learn
7 from it and continue to improve the services.

8 Q. Thank you very much, Doctor. It's been very
9 enlightening testimony and I appreciate you giving it. Those
10 are all the questions that I have.

11 A. Welcome.

12 Q. Thank you.

13 **THE COURT:** Ms. MacGregor?

14 **MS. MACGREGOR:** I have no questions. Thank you, Your
15 Honour.

16 **THE COURT:** Mr. Rory Rogers?

17 **MR. ROGERS:** I have no questions for Dr. Rudnick, Your
18 Honour.

19 **THE COURT:** All right, thank you. Mr. Murray, any
20 additional?

21 **MR. MURRAY:** No re-direct, Your Honour.

22

DR. ABRAHAM RUDNICK, Examination by the Court

EXAMINATION BY THE COURT

1
2 (13:35)

3 **THE COURT:** Dr. Rudnick, I just have one question for
4 you, maybe. At one point, we were talking about primary health
5 care providers and that they were added to your kind of clinic
6 team at some point in time. Do you remember when that was? Was
7 that after you arrived there in 2018?

8 **A.** So the family physician, part-time, was added to the
9 clinic before my time, in 2017. And the full-time nurse
10 practitioner, myself, and Patrick Daigle, added after we both
11 joined. So that was not too long ago, just a few months ago, to
12 make the primary care provision more available.

13 **Q.** So the primary care capability was added in 2017 and
14 that was before you arrived.

15 **A.** That's my understanding, yes.

16 **Q.** All right.

17 **A.** The planning started, to my knowledge, in 2016, but
18 the actual implementation was in 2017.

19 **Q.** And we've heard it suggested that a referral to the
20 OSI in Nova Scotia could be delayed or put on hold if an
21 individual did not have a primary health care provider. And
22 this would've been clearly before 2017. Were you aware of any

DR. ABRAHAM RUDNICK, Examination by the Court

1 of those circumstances or a practice of that nature?

2 **A.** That doesn't hold for our clinic at this stage. I'm
3 not sure exactly what was the decision-making processes before
4 my time there. I can't speak to that. But since I've come,
5 that would not hold.

6 **Q.** No. Well, there'd be no reason for it. Again, if
7 somebody didn't ... if a referral didn't have their own primary
8 care physician, you would provide that presently through the
9 clinic. Is that correct?

10 **A.** Correct.

11 **Q.** All right. That's good. Dr. Rudnick, I think that's
12 the only question that I had for you, unless Mr. Murray has
13 something by way of clarification. No? After that?

14 **MR. MURRAY:** No, Your Honour.

15 **THE COURT:** Thank you. We appreciate your time, Doctor.
16 It's been a long morning and I know that you would've taken some
17 time to prepare and review your material before you appeared
18 this morning. Again, we very much appreciate your time. It's
19 very valuable and very important for us to hear what you had to
20 say. So, again, thank you very much. We appreciate your time.
21 Stay well and have a good day.

22 **A.** Thank you so much.

1 Q. Thank you.

2 A. Thank you, Your Honour.

3 **THE COURT:** Thank you.

4 **WITNESS WITHDREW (13:38 HRS.)**

5 Q. So, you know, in the normal course of events, we would
6 be just coming back from lunch, but I knew it was important to
7 finish with Dr. Rudnick because we had him tied up on the video.
8 And I know we have Mr. Daigle present as well. So we're going
9 to take a break. We are going to have lunch. We'll take a
10 break for approximately an hour and we'll come back at 2:30.
11 All right, thank you.

12 **COURT RECESSED (13:39 HRS.)**

13 **COURT RESUMED (14:31 HRS.)**

14 **THE COURT:** Thank you.

15 Mr. Russell, I understand that you are going to lead Mr.
16 Daigle through some evidence. Is that correct?

17 **MR. RUSSELL:** Yes, Your Honour. He's present.

18 **THE COURT:** Okay. Mr. Daigle, would you like to come
19 forward, please?

20 Good afternoon.

21 **MR. DAIGLE:** Good afternoon.

22 **THE COURT:** I'll give you an opportunity to determine

1 who you want to be sworn.

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

1 **PATRICK DAIGLE, affirmed, testified:**

2 **THE COURT:** Have a seat, Mr. Daigle, if you'd like. You
3 can remove your mask as well if you're comfortable doing that.
4 The courtroom has been audited for compliance with the Public
5 Health directives in relation to COVID-19, and as long as you
6 are in your seat you can remove your mask if you choose to. If
7 you're more comfortable having it in place you're permitted to
8 do that as well. There's a bottle of water there that's fresh,
9 unopened. That's for you should you choose to have a drink.

10 **A.** Thank you, Your Honour.

11 **THE COURT:** The documents will be presented. Some
12 documents will be presented to you on the monitor in front of
13 you, and if you need some actual paper copies we can provide
14 those to you as well.

15 **A.** Thank you, Your Honour.

16 **THE COURT:** All right? Thank you. Mr. Russell.

17 **MR. RUSSELL:** Thank you, Your Honour.

18

19

DIRECT EXAMINATION

20

21 **MR. RUSSELL:** Good afternoon, Mr. Daigle.

22 **A.** Good afternoon.

PATRICK DAIGLE, Direct Examination

1 **Q.** Thank you for coming to court and in person in this
2 crazy time.

3 **A.** No worries.

4 **Q.** So I guess I'll start by asking you your full name for
5 the Court, please.

6 **A.** Patrick Martin Daigle.

7 **Q.** And where are you from, Mr. Daigle?

8 **A.** Originally Enfield, Nova Scotia.

9 **Q.** Okay. Have you spent any time in Cape Breton?

10 **A.** Just since I took this job on. I built the clinic up
11 here in Sydney.

12 **Q.** Okay. I'll ask about that in a little while.

13 So Mr. Daigle, I'll just again ... the exhibits will come
14 up on the screen. Do you have a preference for a paper copy or
15 a digital copy or ...

16 **A.** Digital is fine.

17 **Q.** Okay. I guess we'll start by looking and going over a
18 little bit of your CV, which is marked as Exhibit 260. You see
19 that in front of you?

20 **A.** Yes.

21 **EXHIBIT P-000260 - CURRICULUM VITAE OF PATRICK DAIGLE**

22 **Q.** If at any point you need that zoomed in or scrolled

PATRICK DAIGLE, Direct Examination

1 just let me know and we'll take care of it.

2 **A.** Sounds good. Thank you.

3 **Q.** So I guess we'll start at page 3 of that exhibit. I'm
4 just going to ask a little bit about your education. So I
5 understand that you are a social worker?

6 **A.** Yes.

7 **Q.** And when would you have gotten your Bachelor of Social
8 Work?

9 **A.** Graduated in 2005.

10 **Q.** And where from?

11 **A.** Dalhousie University.

12 **Q.** And then I understand at some point you went back and
13 got your Masters of Social Work?

14 **A.** Yes, I did.

15 **Q.** And when was that?

16 **A.** I went back in 2007, graduated in 2009 from Dalhousie
17 University.

18 **Q.** All right. So I'm going to ask you a little bit about
19 your employment history starting sort of going back and then up
20 to your current position now. I guess naturally the place to
21 start would be ... I guess if we can start at 2005. Just
22 generally what position you held and what were some of your ...

PATRICK DAIGLE, Direct Examination

1 very briefly if you can touch upon what some of your duties were
2 as a social worker.

3 **A.** Sure. So my first job after graduating from my
4 Bachelor's program is I held the support service coordinator
5 role at what is legally called the Lesbian Gay Bisexual Youth
6 Project but what goes by the Youth Project in Nova Scotia, and I
7 was there for roughly ... well, from May 2005 till December of
8 2006 in ... yeah, in the support service coordinator role.

9 **Q.** And then it was sort of drawing on your background as
10 a social worker?

11 **A.** Yes, it was a social worker position.

12 **Q.** So next if we move to 2006 to 2014 - so a number of
13 years there, approximately seven years, I believe - you were a
14 clinical therapist where?

15 **A.** So this is at the Mental Health and Addictions Program
16 formerly called Capital Health District's Addictions and Mental
17 Health Program, now Mental Health and Addictions Program. This
18 was out of primarily downtown Halifax location.

19 **Q.** So I understand there's no longer any Capital District
20 Health Authority in Nova Scotia?

21 **A.** It's now Nova Scotia Health.

22 **Q.** Okay. And so what were some of your duties as a

PATRICK DAIGLE, Direct Examination

1 clinical therapist at the time in Mental Health and Addictions?

2 **A.** During these years I was ... I delivered a Driving
3 While Impaired program for driving while impaired folks, an
4 education program followed up by assessment to determine whether
5 they had a high, medium, or low harmful involvement with
6 substances, and worked as a therapist treating folks who have
7 substance-related issues.

8 **Q.** And so we move to September of 2013 to 2014. It says
9 you were a clinical therapist, but where at this point?

10 **A.** This time I had moved over to the Dartmouth office and
11 I took on my first leadership role increasing the services for
12 family members, people who are affected by their loved ones' use
13 in the services of ... in the addictions world.

14 **Q.** All right, so sort of a well rounded perspective.
15 You're not only now dealing with clients that are suffering from
16 various psychological traumas or issues, difficulties, and
17 addictions, you're now dealing with the family members?

18 **A.** Absolutely, yeah.

19 **Q.** And how did you find that, the contrasting roles and
20 how they interconnected?

21 **A.** Making sure that we're treating both sides of the
22 equation was important to me. It was going before I took this

PATRICK DAIGLE, Direct Examination

1 role as well. Probably one of the reasons I ... the role was
2 created. So it was a good balance to be able to see not only
3 the person struggling with a substance abuse, which I still had
4 a caseload of, but also their family members for psychoeducation
5 and support.

6 **Q.** And I guess someone's recovery or treatment, as a
7 rule, extends out just beyond them and extends to their social
8 network which includes their family?

9 **A.** I'm a firm believer that if someone is struggling with
10 substances that you cannot simply treat the individual
11 struggling because there is anywhere from eight to nine people
12 who are also impacted by that. So if we're teaching
13 communication skills and setting boundaries and self-care to the
14 client we also have to teach that to the people they're going
15 home to and communicating with and setting boundaries with who
16 are also becoming, in some cases, as unwell as they are. Unwell
17 as they are without even using substances.

18 **Q.** So does the same sort of principles apply to someone
19 that is suffering from military trauma or operational stress
20 injuries as it relates to their employment, whether it's RCMP or
21 military?

22 **A.** It does extend beyond addictions, solely addictions,

PATRICK DAIGLE, Direct Examination

1 mental health as an operational stress injury would be when
2 people ... which I term affected others. So they would have
3 affected others as well.

4 **Q.** And, in turn, does that sort of impact them in their
5 abilities to navigate through the difficulties that they're
6 experiencing?

7 **A.** Absolutely.

8 **Q.** So if we turn to ... which is page 1. October 14th,
9 2018, then you had done four years. What was your position for
10 those four years?

11 **(14:40)**

12 **A.** So I was the clinical team lead for Central Zone
13 Addictions program. So the addictions portion of Mental Health
14 and Addictions. So I provided the clinical support to the team
15 members moreso. I still carried a small care load of people, of
16 clients themselves, family and people struggling. And
17 facilitated groups. But I also supported the clinicians at this
18 point in providing treatment.

19 **Q.** And now we turn to the role that sort of brought you
20 here today, which is ... you were in this position from February
21 2018 to present. So what is your position and where is it at?

22 **A.** So I'm now the provincial manager ... Health Services

PATRICK DAIGLE, Direct Examination

1 manager for the Nova Scotia Operational Stress Injury Clinic.
2 My primary location is in Dartmouth, Nova Scotia.

3 **Q.** So how did ... how do you see that your past
4 experience and past employment history has affected and
5 influenced your ability to sort of operate in this position?

6 **A.** So the number of leadership opportunities that I've
7 had over my career within Mental Health and Addictions, it was
8 something that I wanted to pursue. I wanted to increase my
9 skills into a more managerial position, and I also wanted to
10 stay within the Mental Health and Addictions world. So when
11 this opportunity came up it was a good fit.

12 **Q.** And I understand from the evidence earlier today that
13 the Operational Stress Injury Clinic is in Halifax? Or ...

14 **A.** Dartmouth.

15 **Q.** Dartmouth? Yes. And I understand that it might have
16 began operations in late 2016?

17 **A.** From my recollection, it opened up in October of 2015
18 and they started seeing clients in February of 2016.

19 **Q.** Okay.

20 **A.** And I think it was in a previous location for a period
21 of time.

22 **Q.** Okay. So I guess we've heard the phrase a co-

PATRICK DAIGLE, Direct Examination

1 leadership model, which involves Dr. Rudnick and yourself. How
2 does your role sort of intersect with Dr. Rudnick? What's your
3 aspect of the co-leadership model, I guess?

4 **A.** For sure. So we collaborate a lot, but he is the
5 clinical director. So he heads clinical ... the main ... if we
6 think of umbrella, the main clinical issues, and I am
7 operational.

8 **Q.** And so your role from the operational side of things.
9 Generally, if you could tell us ... and we can get into some
10 details as we go along. But generally, what is your role and
11 duties in the operational side of things?

12 **A.** So for example, I would manage the budget of the OSI
13 clinic. I ensure that we are meeting the provincial health
14 authority's regulations. I do the hiring of the clinic. But,
15 again, with consultation, because there is a grey area in a co-
16 leadership model. And I deal with, you know, the pays of the
17 team members. Like anything that falls under operational. The
18 building, the maintenance, all of those kind of different
19 reporting systems.

20 **Q.** So just so I could get the timeline, I guess, tidied
21 up a bit. So to your knowledge, has the operational stress
22 clinic always had this co-leadership model between clinical side

PATRICK DAIGLE, Direct Examination

1 of things and the administrative side?

2 **A.** No. The co-leadership model was officially brought to
3 the clinic when Dr. Rudnick started and that was in October of
4 2018. He is the first clinical director of the clinic.

5 **Q.** So I guess if you could tell us a little bit about the
6 rationale behind the co-leadership model and why it ... I mean
7 clearly you have it. I'm assuming it has its benefits.

8 **A.** Absolutely.

9 **Q.** And so what are the advantages of having that model at
10 the OSI clinic?

11 **A.** Well, from my career, there's usually been a co-
12 leadership model. Mental Health and Addictions has been under a
13 co-leadership model for a number of years. Dr. Rudnick was
14 hired prior to my arrival at the clinic, and I ... so I see the
15 ben- ... even though I come from a clinical lens, I don't come
16 from a clinical medical lens, and it's important to have that
17 medical leadership and also, as an operational manager, I can't
18 do both sides of that. I don't have the experience, the
19 knowledge. So we need to have that collaboration back and
20 forth, and a lot of managers aren't clinical at all. So to have
21 that clinical lens, I find, is invaluable.

22 **Q.** And so if we could just talk a little bit about the

PATRICK DAIGLE, Direct Examination

1 structure. So am I correct in saying the Operational Stress
2 Injury Clinic operates under the umbrella of Nova Scotia Health
3 Authority?

4 **A.** Yes. We are a federally-funded program, 100 percent
5 funded from Veterans Affairs Canada, and through a memorandum of
6 understanding, we're provincially housed in Nova Scotia Health.

7 **Q.** Okay. And so the OSI clinic in Nova Scotia, in the
8 aspect of funding, where does the funding come from? I know we
9 learned a little bit that it also involves RCMP members but
10 where does the funding come from?

11 **A.** Veterans Affairs Canada.

12 **Q.** Even for the RCMP members as well?

13 **A.** So if RCMP members ... it's all funded through ...
14 through Veterans Affairs Canada. We would bill Blue Cross for
15 active RCMP members.

16 **Q.** Okay.

17 **A.** Yeah.

18 **Q.** In terms of the structure before we get into the
19 clinic a little bit more. The structure of the Nova Scotia
20 Health Authority, is it broken up into ... as it relates to
21 Mental Health and Addictions, is it broken up into zones within
22 the province?

PATRICK DAIGLE, Direct Examination

1 **A.** So the province is broken up into zones.

2 **Q.** Yes.

3 **A.** But we are provincial. So we cover all those zones.

4 **Q.** Yes, so could you tell me. There are four zones, I
5 believe, in Nova Scotia?

6 **A.** Yes.

7 **Q.** Mental Health and Addictions under Nova Scotia Health
8 Authority.

9 **A.** Nova Scotia Health Authority is broken up into four
10 zones which ...

11 **Q.** Yes.

12 **A.** ... Mental Health and Addictions falls within.

13 **Q.** Okay, and which district does Mental Health and
14 Addictions fall in? Just ...

15 **A.** All four.

16 **Q.** All four. Okay.

17 **A.** All four.

18 **Q.** And so the clinic itself falls into what zone?

19 **A.** So the Dartmouth clinic falls into Central Zone and
20 the Cape Breton satellite site falls into Eastern Zone.

21 **Q.** All right, and everyone, I guess, has got a boss. I'm
22 assuming.

PATRICK DAIGLE, Direct Examination

1 **A.** Yes.

2 **Q.** Unless you're the queen. So where does ... does each
3 zone have a director?

4 **A.** So the director of the provincial OSI clinic is Rachel
5 Boehm, who is the Mental Health and Addictions director of
6 Central Zone.

7 **Q.** Okay, and who are the other directors of the other
8 zones?

9 **A.** That's a good question. I mainly deal ... my manager
10 of the provincial clinic is Rachel Boehm.

11 **Q.** Okay, and she would report, ultimately, to whom?

12 **A.** Sam Hodder.

13 **Q.** Okay, so she is the director for the province of
14 Mental Health and Addictions.

15 **A.** Yes.

16 **Q.** Okay. So given that structure, the operations of the
17 clinic itself that fall within that Central Zone, do you ever
18 sort of collaborate with the directors of Nova Scotia Mental
19 Health and Addictions?

20 **A.** I collaborate with Rachel and I collaborate with Sam
21 Hodder on some issues as well.

22 **Q.** Okay. So while, I guess, funding is from a Federal

PATRICK DAIGLE, Direct Examination

1 entity, Veterans Affairs Canada, the operations itself are
2 provincial.

3 **A.** Yes.

4 **Q.** So I'm going to ask you a little bit about ... if we
5 turn to again page 1 of your CV. I'll just wait for it to come
6 up on the screen there. The second paragraph under your current
7 position. The first line reads:

8 The Health Services manager (which is you)
9 is responsible for the immediate day-to-day
10 supervision and management of all aspects of
11 specific clinical program components in
12 keeping with both the requirements of NSHA
13 (which is Nova Scotia Health Authority) and
14 VAC (Veterans Affairs Canada) guidelines for
15 OSI clinics.

16 So tell me a little bit about what you mean there by
17 requirements of two entities that you're complying with.

18 **A.** So we have a mandate within the Nova Scotia Health
19 Authority to serve the client communities under the Mental
20 Health and Addictions Program. So we are operationally run,
21 which means that we have to comply with the provincial
22 guidelines, the same as Mental Health and Addictions broadly

PATRICK DAIGLE, Direct Examination

1 would do.

2 Nationally, what that means is that we serve the client
3 populations that are referred to us by Veterans Affairs Canada,
4 the RCMP, and to some extent the Canadian Armed Forces, under
5 the regulations that is set out federally. So being those
6 populations and through those specific referral sources.

7 **Q.** Do these guidelines ever ... in your experience, have
8 they ever conflicted with each other?

9 **A.** That was an interview question.

10 **THE COURT:** You must have gotten it right because you
11 got hired.

12 **A.** Well, I can tell you what I said.

13 **THE COURT:** Start. Go ahead.

14 **(14:50)**

15 **A.** I'm trying to think of an example where they might
16 have conflicted with each other. I mean maybe the best ... just
17 as an example, I think the best thing is my answer to ... when I
18 asked in my interview, which is we are all trying to maintain a
19 certain goal for the client communities we serve, and if it ever
20 did conflict I have relationships on both sides where I think I
21 could bring everybody together to at least talk it out to find a
22 common goal.

PATRICK DAIGLE, Direct Examination

1 **MR. RUSSELL:** Okay. So I guess there is potential, I
2 guess, that the guidelines may conflict in some scenario, but in
3 terms of an exact example, you're not aware of one right now.

4 **A.** Not in this moment.

5 **Q.** Okay. So the second aspect that I wanted to ask you
6 about a little bit is ... again in the same paragraph. Just
7 bring it back up. Below it you said:

8 This includes developing and maintaining
9 critical-to-client outcome clinical service
10 delivery-level relationships with other
11 components of mental health and addictions.

12 What do you mean by that?

13 **A.** So a big part of the OSI clinic is that we do really
14 rely on outcome measures. So like Dr. Rudnick was talking
15 about, we have measures in place to show the outcomes of the
16 client we're serving. So over time we can see through our
17 CROMIS program if a client ... if what we are doing is being
18 effective, and that gets reported up nationally.

19 **Q.** So is it part of sort of the ... and Dr. Rudnick
20 talked a little bit about the CROMIS evaluation that sort of
21 follows a client through their time at the OSI clinic. Does
22 that apply to basically every client that goes through the OSI

PATRICK DAIGLE, Direct Examination

1 clinic? They're administered the CROMIS tools to gauge sort of
2 success?

3 **A.** Yes. CROMIS is best ... the best outcomes is every
4 time a client arrives at the client, if in person we administer
5 an iPad to them where they fill out these tools, if it's virtual
6 we gain the consent to send email and they fill it out email.
7 Now they can refuse, and that is within their right. But we do
8 have conversations with them to talk about the benefits of the
9 outcome measures. And last I checked, we're about at an 83
10 percent compliance for having the CROMIS data.

11 **Q.** So what's the advantage, I guess, to someone that is a
12 client that is being treated for an operational stress injury,
13 I'm going to say in-house in the sense that they're affiliated
14 with the OSI clinic, as opposed to accessing parts of a care
15 model in the basic, general resources within the province?
16 What's the advantage of having this CROMIS tool used at the OSI
17 clinic as opposed to not having it used outside?

18 **A.** Well, outcome measures at the very heart tell us if
19 what we're doing is working. I think that that ... that is the
20 main goal of it, and so a client can also see their progress.
21 Because sometimes it can be hard for a client to see if
22 something is working or not over a short term.

PATRICK DAIGLE, Direct Examination

1 **Q.** And so would you say that the CROMIS tool that's very
2 sort of critical to maybe what makes the OSI clinic a little
3 different than healthcare that could be accessed in the
4 community is that you're monitoring success rates and how you're
5 doing when you're affiliated with the OSI clinic?

6 **A.** That is a variable, yes.

7 **Q.** So a little bit about the clinic itself since your
8 involvement in 2018. Has it expanded?

9 **A.** From an HR perspective, yes.

10 **Q.** And what do you mean "from an HR perspective"?

11 **A.** So I have added other positions and other disciplines
12 to the team since I arrived.

13 **(15:00)**

14 **Q.** What about sort of ... we heard Dr. Rudnick talk about
15 the different social workers, psychologist, psychiatrist,
16 nurses, a nurse practitioner. Have those ... outside of ...
17 we'll put aside the satellite office. But the clinic itself
18 within Dartmouth, has the staff increased in terms of mental
19 health professionals?

20 **A.** Yes.

21 **Q.** And if you can tell us a little bit about how that's
22 expanded.

PATRICK DAIGLE, Direct Examination

1 **A.** So when I first took the clinic on I believe there was
2 ... there was a greater number of psychologists on the team,
3 which I still may add back in, but I decreased them because we
4 had some turnover. So I wanted to get a firm foundation of
5 psychologists on the team, and at the same time I decided to
6 increase social workers. Social workers can do the
7 psychotherapies, but we need psychologists to do psychotherapies
8 and psychological testing.

9 So I increased the social workers on the team to ... now we
10 have, in Central Zone, four and we have two more in Cape Breton.
11 And they're all full-time. And now we have three psychologists.
12 I am planning to add another psychologist in the new budget
13 year, which is coming up very shortly.

14 And in this past year - so this past fall - we added a
15 nurse practitioner to the team. So the nurse practitioner,
16 whose role is to see psychiatric and medical clients for
17 continuing care and then that would relieve some of the pressure
18 to see more assessments from our general practitioner and our
19 psychiatrists for less complex clients. So they would move over
20 to the nurse practitioner and then they could move back if
21 needed. So working as a team back and forth, internal
22 referrals.

PATRICK DAIGLE, Direct Examination

1 There was an occupational therapist already on the team
2 when I arrived, and in January of this year I increased that to
3 a second occupational therapist. So she started at the clinic,
4 and they are two now permanent positions.

5 Who am I missing here? We had two nurses when I arrived at
6 the clinic. I've increased by one. So we now have three nurses
7 at the clinic.

8 **Q.** So it seems like there was quite sort of an expansion
9 of expertise from all different fields: psychologists, social
10 work, nursing. Was the expansion due to ... has there been sort
11 of an increased need or access to those OSI services?

12 **A.** Well, I'm also strengthening the ability of an inter-
13 disciplinary team to deal with some of the ... or to serve, I
14 should say, some of the client needs that are coming up. So
15 with our clients we see the need for more need for an
16 occupational therapist, you know? When that ... when that got
17 ... when a waitlist started I was like, Okay, this isn't going
18 anywhere, we need to add this.

19 And also the clients' numbers went up as well. So we
20 needed increased clinicians because we've almost doubled the
21 number of clients within the clinic itself.

22 **Q.** Okay. Do you have a ... I know numbers can sort of be

PATRICK DAIGLE, Direct Examination

1 tricky. Do you have an idea of the number of referrals you
2 would receive from the Veterans Affairs and RCMP within any
3 given year?

4 **A.** Oh, a year.

5 **Q.** Or month to month or week to week.

6 **A.** It ebbs and flows to tell you the truth. Certainly in
7 the last year with the pandemic we ... as everyone learned to do
8 things from home. So there was a number of months where they
9 went down. I know we report early pandemic in our article.
10 Certainly by June the numbers started to increase. I would say
11 we get, you know, three to five a week but sometimes it might be
12 lower. Sometimes it might be higher.

13 **Q.** So you mentioned two things there previously that I'm
14 curious about. One, you indicated the importance of a
15 psychologist in a number of respects. You indicated one was
16 that they can administer testing.

17 **A.** Yes.

18 **Q.** That perhaps ... my understanding of a psychologist,
19 they could administer a different diagnostic testing, I guess,
20 if that's even the right phrase, whereas a therapist, a
21 registered therapist or even a social worker or a nurse
22 practitioner wouldn't be able to administer. Is that correct?

PATRICK DAIGLE, Direct Examination

1 **A.** Yes, psychologists can do psychological testing.

2 **Q.** Okay. Why is it important for ... and I know it
3 depends on the military veteran, what they're suffering from.
4 But well, let's take a veteran that's suffering from PTSD, major
5 depression, mixed personality traits, substance abuse in
6 remission, and family life stressors. Why is it important to
7 sort of have a psychologist maybe administer certain tests?

8 **A.** It's a bit outside my scope to answer that.

9 **Q.** Okay. Sure.

10 **A.** I can ...

11 **Q.** That's fine.

12 **A.** I would be more comfortable, you know, if you had
13 said, for example, personality disorders. There is
14 psychological tests we do for personality disorders, not
15 necessarily if they just came with some of the things that you
16 you've listed.

17 **Q.** Okay. In terms of ... we heard Dr. Rudnick talk about
18 ... I don't know whether it was a policy that's ... and maybe
19 you would know if it's a policy, at the clinic where it's
20 preferred that a psychologist have or a therapist be trained in
21 two of the goal standards, I guess. You referred to a prolonged
22 exposure therapy. What were the other two?

PATRICK DAIGLE, Direct Examination

1 **A.** Cognitive processing therapy and EMDR.

2 **Q.** Yes. So is that a policy from within the clinic
3 itself?

4 **A.** It's not a written policy but it is something that I
5 do do. So when someone starts at the clinic, if they do not
6 have one of those trainings, the thing about the Operational
7 Stress Injury Clinic is that we make sure they do get those
8 trainings.

9 **Q.** And why is it important to make sure that your staff
10 that are ultimately going to see the patients are trained in
11 those gold standards and have competency in those?

12 **A.** So we want to make sure that we're providing the
13 evidence-based care to the client communities we're serving.

14 **Q.** Okay, and so we've talked ... and we'll talk a little
15 bit about sort of rural healthcare and how you draw from outside
16 resources as well to assist in times of where you have to
17 provide care in a rural setting for whatever reason. Are you
18 actively ... do you actively keep sort of a roster of potential
19 psychologists, therapists, or social workers? For example, you
20 have a client that's in Port Hawkesbury, doesn't want to travel
21 to Halifax, but you know they need treatment and they need
22 treatment for PTSD, depression, substance abuse. They have some

PATRICK DAIGLE, Direct Examination

1 mixed personality traits, a conglomerate of issues.

2 Do you have a roster of psychologists that you can try to
3 draw from when you're accessing those outside resources?

4 **A.** So no, we would not make specific recommendations as a
5 public system to a specific private individual. We would make
6 those treatment recommendations and then we would make those
7 recommendations to the Veterans Affairs case manager or the RCMP
8 and also say, And this client could access services in these
9 ways at the OSI clinic.

10 **Q.** So basically, so the clinic would then say to Veterans
11 Affairs in that scenario, say, Your client is wishing to receive
12 treatment in the community, here's what we see based on the
13 information we were given, here's what we see that they need,
14 and we recommend you get a therapist, psychologist, or social
15 worker that has this level of competency.

16 **A.** Yes.

17 **Q.** Was that policy always the case or was it always in
18 existence since you became involved?

19 **A.** It's been in existence since I became involved, and it
20 was in existence in my past world of mental health and
21 addictions generally as well. I don't know ... I can't speak to
22 the OSI clinic before I got there.

PATRICK DAIGLE, Direct Examination

1 **Q.** Okay. I guess it's ... my question sort of is ... and
2 I realize you can't speak to Lionel Desmond's circumstances but
3 I'm trying to draw from the way that the system currently
4 operates.

5 **A.** Sure.

6 **Q.** Is Nova Scotia OSI we know can only receive referrals
7 directly from Veterans Affairs or through the RCMP.

8 **A.** Yes.

9 **Q.** And you were going to say something there. I think
10 you were going to correct me a little bit. Go ahead.

11 **A.** No, just ... and also Health Services of the Canadian
12 Armed Forces.

13 **Q.** Okay, and Health Services from the Canadian Armed
14 Forces. So have you seen where an OSI clinic in New Brunswick
15 sent you the paperwork directly, maybe, as well as Veterans
16 Affairs, that OSI New Brunswick tried to send a referral
17 directly to Nova Scotia. Have you seen an example of that?

18 **A.** I have not personally seen an example of that and ...
19 but however, I could ... I theoretically could see it happening
20 and we would make sure that Nova Scotia's Veterans Affairs was
21 also part of that process.

22 **Q.** Okay. Because I understand Veterans Affairs Canada

PATRICK DAIGLE, Direct Examination

1 has the final stamp of, Yes, we're referring, or, No, we're not.

2 **A.** Yes.

3 **Q.** It doesn't rest with you. It doesn't rest with the
4 other clinic?

5 **A.** No, it's Veterans Affairs Canada.

6 **Q.** They're the ones with the say.

7 **A.** Yes.

8 **Q.** So we'll go through the referral process. So you get
9 a referral at the OSI Nova Scotia from Veterans Affairs.
10 Included with that is the paperwork from the client's experience
11 at the OSI in New Brunswick. In the report the psychologist
12 says they need this and they need this. What conversation
13 happens between Veterans Affairs and who at the clinic, I guess?

14 **A.** So Veterans Affairs would send ... we have a referral
15 process that we've developed to support Veterans Affairs of
16 things that would be helpful for us to receive when sending over
17 a full referral. And we work with what we kind of get and then
18 our nurses would review that information. They would make a
19 triage call to the client. Nationally, that is within the first
20 15 days is kind of the guideline.

21 **Q.** Mm-hmm.

22 **A.** I checked our last quarter. We were doing it on

PATRICK DAIGLE, Direct Examination

1 average within five and six days. And that call is to confirm
2 whether the client would still like treatment, to engage
3 demographics. And also this is nationally what is termed the
4 first meaningful clinical contact, which means we're also doing
5 a brief risk assessment with the client.

6 They would continue to ... the nurses would continue to
7 review that information and they would go to multiple sources,
8 whether it be private practitioners, you know, to try and get
9 information that may be missing in preparation for an intake and
10 then an assessment. The client, during the triage call, is
11 booked in to an intake meeting or session.

12 If the nurse has questions ... it's a complex case, it is a
13 ... somebody we have a ton of information for, maybe he doesn't
14 ... we don't want to put the client through talking all that
15 stuff out, you know, from a trauma-informed lens. We want to
16 reduce the amount of times clients are talking about different
17 things. It's taken to our weekly intake meeting and then they
18 ask the questions there, and we as a team, we flush out, say,
19 Okay, no, we really think we should do that intake, or, It would
20 be helpful to go get this information, something that may not be
21 on the referral sheet but we would find helpful and can we get
22 that.

PATRICK DAIGLE, Direct Examination

1 And the intake team is myself, Dr. Rudnick as our clinical
2 director. Our new clinical team lead is present, as well as ...
3 and she is a social worker. So she's also representing the
4 social worker discipline. We also recently added representation
5 from psychology and occupational therapy to that team, as well
6 as our two nurses.

7 **Q.** And this is at the triage phase.

8 **A.** This is between the triage and the intake phase.

9 **Q.** So I guess I'm trying to get the sequence down in
10 terms of ... the referral comes in. Do you need the green light
11 as to, Yes, as Veterans Affairs, we approve the referral before
12 you reach out to the client?

13 **A.** So that would come with the referral.

14 **Q.** So right now ... so now right on the referral is sort
15 of the approval.

16 **A.** Yeah, so with the referral ... so we call the referral
17 ... what we've supplied Veterans Affairs Canada is a referral
18 fax coversheet which has tick-boxes of different things. With
19 that is a Veterans Affairs form they send back with ... and on
20 that form it says, This person needs a disability assessment,
21 this person needs a treatment assessment, or, This person needs
22 a disability and treatment assessment. And those check-boxes is

PATRICK DAIGLE, Direct Examination

1 what gives us the access to follow through with whatever
2 assessment they're looking for.

3 **Q.** Currently, and in your experience, do you often see
4 ... or how frequently do you see a Veterans Affairs case
5 manager, perhaps, say to the nurse at the clinic, I spoke to the
6 client, put the referral on hold, he'd rather access resources
7 in the community? Did you encounter that frequently?

8 **A.** Not frequently but it has happened.

9 **Q.** And in a scenario where it has happened is there any
10 sort of conversation that takes place? Because you had
11 indicated earlier, you said that it was important for the clinic
12 to relay to Veterans Affairs that they get the proper treatment
13 which comes with core competencies, I guess, gold standard in
14 certain therapies.

15 When that scenario happened, when you're there as the
16 administrator, was there a discussion between the clinic and
17 Veterans Affairs?

18 **A.** Well, we don't know all the variables that are going
19 on, nor would they ... do we need to know all those variables.
20 But also, there ... we are not the only game in town. So there
21 are ... whether it be private practitioners or of other places,
22 whether it even be the public system, that are trained to do

PATRICK DAIGLE, Direct Examination

1 some of these different protocols, and if the client chooses to
2 go to one of those then that would be the client's choice.

3 Q. And I totally understand that, but is there any sort
4 of system or mechanism in place where you're notified Veterans
5 Affairs has spoke to the client, not interested, just wants to
6 access a therapist in the community, thank you very much? Is
7 there any conversation that takes place? Because at that point
8 you have the referral documents. You have the history or ... to
9 some extent, which has been reviewed, and you see a client
10 that's in need of certain care.

11 **(15:10)**

12 A. Mm-hmm.

13 Q. Whether it's recommendations that are made or
14 identifiable issues that come from your team. Do you ever relay
15 that back to Veterans Affairs and say, Just by the way, if
16 they're looking for a therapist in the community your client
17 needs X, Y, and Z?

18 A. So I think we jumped a few steps.

19 Q. Sure.

20 A. Because ... so we're at the triage call.

21 Q. Yes.

22 A. So there's no recommendations yet. There's no

PATRICK DAIGLE, Direct Examination

1 assessment done yet. It would be different at the stage I think
2 you're maybe referring to is after the psychiatric assessment by
3 ... or the psychological assessment by a psychologist or a
4 psychiatrist. Then we provide a treatment recommendations in
5 that stage. Maybe there would be more of a conversation with
6 Veterans Affairs, but also, most likely in those assessments it
7 says "or by client choice".

8 **Q.** Okay. So even before you get to an assessment. You
9 get the paperwork last week. This week Veterans Affairs case
10 manager tells you, Spoke to the client, client is just
11 interested in accessing services in Yarmouth. But in your
12 package you have a history there that's been reviewed by a
13 nurse. Is there any conversation with Veterans Affairs at that
14 point to say, Oh, by the way, if you're looking elsewhere you
15 might want to look to see if he gets these certain things?

16 **A.** I guess it would depend on what information would be
17 in that assessment because we don't know ... we haven't done the
18 assessment yet. We're just reviewing information.

19 **Q.** Okay. I'll give you an example. So the veteran has
20 been treated at an OSI clinic in New Brunswick. In that chart
21 he's diagnosed with PTSD. He's diagnosed with major depressive
22 disorder. He hasn't been responding well or compliant with

PATRICK DAIGLE, Direct Examination

1 medications. He has a number of significant life stressors.
2 He's moving back and forth between provinces. You have that
3 information. That's charted documents from New Brunswick OSI
4 that came to you from Veterans Affairs. The nurse has reviewed
5 that. Veterans Affairs decides to call it off.

6 Is there any conversation that ever takes place between
7 your clinic and Veterans Affairs where you alert Veterans
8 Affairs and say, Look, it's come to our attention, our
9 professionals have looked at this, you might want to keep this
10 in mind when you're looking for resources in the community. Is
11 there any sort of assistance going back the other way?

12 **A.** There is no ... I guess ... I don't evaluate that. So
13 I'm not sure. That's not standard policy.

14 **Q.** Okay.

15 **A.** So if a client is making a decision, then we're
16 respecting the client, but if Veterans Affairs, who is the
17 funder, is making a decision, then we trust that that ...
18 something is in place for that individual.

19 **Q.** Do you have much experience and knowledge of Veterans
20 Affairs case managers, whether or not they have a mental health
21 background or training, whether or not they're social workers,
22 psychologists, nurse practitioners, someone that can appreciate

PATRICK DAIGLE, Direct Examination

1 the data and information they're looking at?

2 **A.** My understanding is that they come from a variety of
3 backgrounds. I'm not sure which ones are which.

4 **Q.** Okay. When the clinic first opened we heard
5 information that there was a psychiatrist that was only employed
6 part-time and was maybe there five days a week at the ... not
7 quite five days a week. What is the status of psychiatry
8 services now at the OSI clinic in 2021?

9 **A.** So Dr. Rudnick is a full-time psychiatrist but also
10 clinical director. So he is clinical director .33, psych- ...
11 or clinical point .33, and research .33. And then Dr. Pamela
12 Arenella has joined the clinic and she is a .6 clinical, a .6
13 academic teaching and then ... no, .6, .2 academic teaching and
14 then .2 working for Community Mental Health and Addictions doing
15 complex addictions assessments.

16 **Q.** So that was a lot of points. So ... but in simple,
17 straightforward terms, I think of a full-time psychiatrist,
18 somebody that is there. She's there seven days a week, always
19 on call. How many equivalents of that do you have?

20 **A.** So she's there five days a week.

21 **Q.** Okay.

22 **A.** So maybe the definition of "clinical" is the most

PATRICK DAIGLE, Direct Examination

1 helpful.

2 Q. Okay.

3 A. So she is a .6 clinical.

4 Q. Yeah.

5 A. So three days a week.

6 Q. Three days a week.

7 A. Yes.

8 Q. And so as a rule, do you have a psychiatrist on duty
9 the five working days of the week in the OSI clinic?

10 A. Yes. Yes, and we also have a nurse practitioner who
11 can also cover when they're not there.

12 Q. What about sort of evening hours? So Monday to Friday
13 after hours is there a psychiatrist available at the clinic?

14 A. So our clinic is from 8 until 4:30.

15 Q. Okay. Okay. After hours if a military veteran needs
16 to access psychiatric services where do they go? And they're
17 affiliated with the OSI clinic. Where do they go?

18 A. Right, so we are not an urgent service. So that is
19 where the provincial Mental Health Mobile Crisis Team or Mobile
20 ... or in different parts of the province just the Mobile ...
21 sorry. I'll get rid of the word "mobile". Provincial Crisis
22 Team or emergency rooms.

PATRICK DAIGLE, Direct Examination

1 **Q.** And does the same thing apply for weekends and
2 holidays?

3 **A.** Yes.

4 **Q.** Could you tell us a little bit about the ... I was
5 going to call it a mobile crisis team but ...

6 **A.** They've changed recently.

7 **Q.** And so what's the new phrase?

8 **A.** Provincial Mental Health Crisis Team.

9 **Q.** What is the Provincial Mental Health Crisis Team? And
10 I ... so before I ask that, is that a part of the OSI clinic?

11 **A.** It is not, no.

12 **Q.** That's something that's available outside the clinic.

13 **A.** That is for the Province of Nova Scotia.

14 **Q.** Could you tell us a little bit about what that is?

15 **A.** So that is a team of individuals who operate out of
16 Nova Scotia Health. They are mental health professionals:
17 nursing, social work, and maybe other. They work with the
18 police collaboratively and they support people in crisis with
19 mental health issues throughout the Province of Nova Scotia.

20 **Q.** So military veterans that are affiliated with the OSI
21 clinic in Nova Scotia, are they told about that service?

22 **A.** Yes, they are.

PATRICK DAIGLE, Direct Examination

1 **Q.** And how are they told about that service?

2 **A.** That would be something that is on ... a couple of
3 things. So that is mentioned during their triage call. It is
4 ... and we also send out a welcome package to the client. So it
5 is in the welcome package letter. We send out a letter
6 reminding clients of, for example, when an assessment is booked.
7 It is in that letter and it's also in the closure letter to the
8 clinic as well.

9 **Q.** And I understand there's real value in, one, having
10 that service, but two, veterans being aware of the existence of
11 it.

12 **A.** Yes.

13 **Q.** Why is it important for the veteran to be aware that
14 there is a crisis team available to you after hours, on
15 weekends, and during holidays?

16 **A.** You're right, so ...

17 **Q.** Why is that important for a veteran that's affiliated
18 with the OSI clinic to also be aware of that?

19 **A.** It's important for anyone in the province, including
20 military veterans, to be aware of that. Because anyone can
21 struggle with mental health.

22 **Q.** And in times of crisis they know where to look.

PATRICK DAIGLE, Direct Examination

1 **A.** Absolutely.

2 **Q.** And there's a real value in that.

3 **A.** Yes.

4 **Q.** Do you know if ... it's an unfair question. Is there
5 anything offered in ... to alert, maybe, members that aren't
6 affiliated with the OSI clinic in Nova Scotia about the
7 existence of this program?

8 **A.** I'm not sure.

9 **Q.** Okay.

10 **A.** Yeah.

11 **Q.** Are they ... just every veteran that comes to Nova
12 Scotia, to your knowledge, does Nova Scotia Health Authority
13 give them that care package that a veteran would receive if they
14 were affiliated with the client? When I say care package I mean
15 giving them the information about the crisis after-hours team.

16 **A.** What part of Nova Scotia Health are you referring to?

17 **Q.** Just Nova Scotia Health Authority for example.

18 **A.** I mean, you know, certainly if they look at the Nova
19 Scotia Health website it's very clear on there. There's
20 different avenues. You see it in Nova Scotia. It's becoming
21 more plain language. I can't speak to what packages or whatever
22 might be there, including the military, when discharging.

PATRICK DAIGLE, Direct Examination

1 Q. Okay. That's fair.

2 A. That might be another option as well.

3 Q. All right. If we turn to Exhibit 261, page 7. These
4 are materials that were provided by you through your counsel.

5 It says "Resources - VAC assistance service offers 24-hour
6 counselling with mental health professionals". What is that?

7 A. So the Veterans Affairs assistance line is through
8 Veterans Affairs Canada. So this is a service also for military
9 veterans where they can call 24 hours a day, seven days a week
10 and get service in the moment there as well.

11 Q. And veterans affiliated with your clinic are reminded
12 of the existence of that program?

13 A. Yes. In the welcome package that we send out, the
14 pamphlet goes with it as well.

15 Q. Okay. And below that it says the operational stress
16 injury social support, the OSISS.

17 **(15:20)**

18 A. Yes.

19 Q. One, what is that?

20 A. So OSISS is a program within the transition unit of
21 the military housed out of Windsor Park in Halifax and then they
22 take care of provincial and I think parts of PEI. And they are

PATRICK DAIGLE, Direct Examination

1 first voice, family and veterans, who are also federal
2 employees, or they are now federal employees as part of this
3 program, and they provide peer support to veterans in Nova
4 Scotia.

5 Q. And in your experience why is this program of peer
6 support of people that have had shared experiences, why is that
7 valuable to a veteran who is struggling with mental health?

8 A. Peer support is extremely important because it
9 provides an opportunity for someone who has lived experience to
10 connect and to be able to understand what the individual might
11 be going through on a peer-to-peer basis.

12 Q. Okay. And that's in the welcome package as well?

13 A. Yes, this is part of the pamphlet.

14 Q. Next, "OSI Connect". What is that?

15 A. OSI Connect is an app that has all of the contact
16 information for the OSI clinics across the country. It has some
17 self-care tips and different ways that can benefit the client
18 should they wish to.

19 Q. Part of the OSI welcome package?

20 A. Yeah, this is all in the pamphlet that goes in the
21 welcome package.

22 Q. Okay. And then it says "Emergencies". It talks about

PATRICK DAIGLE, Direct Examination

1 in a moment of crisis here's another ... and it references the
2 Mobile Crisis Team.

3 **A.** Yes.

4 **Q.** So that one ... we spoke to that earlier.

5 **A.** Yes.

6 **Q.** So I guess if a veteran never gets affiliated with
7 your OSI clinic, to your knowledge, do you know they get ... do
8 they get the welcome package?

9 **A.** They wouldn't get our welcome package, no.

10 **Q.** No? Do you know if they get one from anywhere else?

11 **A.** When you're discharging from the military there is a
12 conference called Simber ... not Simber, sorry. SCAN
13 conference. I don't know what it stands for. But during that
14 it's two or three days of intensive what are all the resources
15 available to you in the community. So for example, we're there.
16 We make a presentation. We have an information table.

17 **Q.** Mm-hmm.

18 **A.** And they all have information as well and then the
19 Military Resource Family Centre would be something, as well,
20 that provincially people can connect to. But I have to say I'm
21 not an expert on all of these supports and services within the
22 military.

PATRICK DAIGLE, Direct Examination

1 **Q.** Sure. But do you see some value in sort of reminding
2 the veteran about these resources when they're affiliated with
3 your clinic?

4 **A.** Again, not knowing all the ways that might be
5 happening if they're not affiliated with our clinic, I do see a
6 benefit to it, yes.

7 **Q.** Okay. I'm going to ask you a little bit about
8 referral forms that you spoke of earlier. Page 29 of the same
9 exhibit, which was Exhibit 261. So I guess this referral form,
10 is this the referral form you were speaking of earlier that
11 comes from you to Veterans Affairs?

12 **A.** This is a referral form that we created for them to
13 help support them in collecting helpful information when making
14 a referral to the client.

15 **Q.** Do you know when this form was created?

16 **A.** This is an updated version of this form. This version
17 of it is probably eight to nine months old. I mean it hasn't
18 changed a big lot, but I mean the form itself predates my time
19 at the clinic.

20 **Q.** Okay. Do you know if this form existed back at sort
21 of the foundation of the clinic?

22 **A.** I'm not sure.

PATRICK DAIGLE, Direct Examination

1 **Q.** Okay, and the reason why I ask is we hadn't seen any
2 sort of form like this in this detail coming from Veterans
3 Affairs to OSI Nova Scotia.

4 **A.** For sure.

5 **Q.** So on this form, directly written on the form, it
6 says, "Please complete sections below." Do you see that in the
7 bold?

8 **A.** Yes.

9 **Q.** And I'm going to read it. It says:
10 Supporting documentation is requested with
11 referrals to ensure continuity of client
12 care, minimize duplication of services, and
13 to work collaboratively with our community
14 partners.

15 **A.** Yes.

16 **Q.** I guess why is that important and then why is it
17 important to have that right on the form for Veterans Affairs to
18 see?

19 **A.** So we don't want to be ... for example, if we are
20 requesting ... if we already have a request out for a private
21 practitioner's notes or for an active military member's record,
22 we don't want to be doing that when someone else is already

PATRICK DAIGLE, Direct Examination

1 working on that. So this provides an opportunity if they're ...
2 you know, if it's no but they're working on it, then they can
3 put a note on it.

4 For the community partners for ... like we want to be
5 working with people. We don't want to be treating people in
6 silos. So we want to be working with the whole care team and if
7 part of that care team is outside of the clinic, then we want to
8 make sure that we kind of know who they are and, wherever we
9 can, engage those individuals.

10 Q. And you said it. You said a very important phrase
11 there. You said "you don't want to be treating people in
12 silos".

13 A. Yes.

14 Q. What do you mean by that?

15 A. So there's a number of examples. You know, somebody
16 might come to our clinic because they would like to seek
17 services from a psychiatrist from a medical perspective but
18 perhaps they have a psychotherapist in the community. So we
19 want to make sure we're trying to engage with the private
20 practitioner who is providing them treatment so we can make sure
21 that it's not a silo, that they're siloing them in psychotherapy
22 and we're only dealing with this. We want to make sure that

PATRICK DAIGLE, Direct Examination

1 we're engaging that client's support system so they're not
2 siloed in their treatment with their support at home where they
3 don't know what's happening on the other hand at the clinic.

4 **Q.** And does this sort of silo extend to ... obviously it
5 extends to the sharing of information.

6 **A.** Yes, yeah.

7 **Q.** And when I ask about sharing of information, at the
8 front end for Veterans Affairs when a referral is made, is it
9 important for your clinic to know that the veteran was treated
10 in this OSI clinic in New Brunswick, he spent time in a
11 residential treatment program in Quebec? Is it important to
12 have that information right at the front end?

13 **A.** Is it important, absolutely, and if we ... and we will
14 try to gain as much of that information also during the intake
15 if we can't get it.

16 **Q.** Okay. And how do you start to sort of try to gain
17 that information at the intake if you don't get it?

18 **A.** So during the intake we talk about the treatment that
19 they've had in the past. We talk about the deployments. So
20 we're building in one document as much as we can. So if it's
21 come in from other sources at the intake, then we are compiling
22 that information. So if we already have something we don't want

PATRICK DAIGLE, Direct Examination

1 to be putting a client through it. If we already know all of
2 their deployments from the referral package, we don't want to be
3 interviewing that person on an intake assessment and putting
4 them through ... having to go through it all again and trying to
5 formulate their timelines when we already have it from a trauma-
6 informed lens.

7 So once again if we haven't gotten everything, for whatever
8 reason, we don't want to say, Okay, client, you are going to
9 wait until we get everything. We need to also serve that
10 individual who is in front of us. So we start to build it with
11 the client.

12 **Q.** In practical terms, and we have this referral form and
13 there's a checkbox that talks about included, yes or no, it
14 says, OSI clinical services referral form, consent forms for the
15 release of information, summary assessments, release medical
16 report. It goes on and then it even says medication printout
17 from Canadian Armed Forces pharmacy.

18 **A.** Yes.

19 **Q.** In practical terms, you're getting a referral from
20 Veterans Affairs Canada to your clinic. Are you getting all of
21 this information usually right out of the gate?

22 **A.** So if we can go up for a second.

PATRICK DAIGLE, Direct Examination

1 **Q.** Sure.

2 **A.** So, for example, there may not be a summary of
3 assessment if they've never been assessed before. Then the
4 answer to that is going to be "no" because there isn't one.

5 **Q.** Yes.

6 **A.** If we can go down for a second. So some of the ... I
7 guess the answer to your question is is that not always. This
8 is what we strive to get.

9 **Q.** So ...

10 **A.** Yeah.

11 **Q.** And that's very fair. So I'm trying to get a gauge of
12 the number of referrals that are coming from Veterans Affairs
13 that are giving you a near-complete package, and what I mean by
14 near-complete package are if the veteran saw a psychologist for
15 prolonged exposure therapy while at the Canadians Armed Forces,
16 if they saw a psychologist while at the Canadians Armed Forces,
17 if they saw a psychiatrist in a clinic in New Brunswick, OSI
18 clinic, how often are you getting all of that information with
19 the referral? It seems to contemplate that you want it but how
20 often are you getting it?

21 **A.** It would be a guess. I don't monitor this on a daily
22 basis. I mean I certainly do see referrals coming in that are

PATRICK DAIGLE, Direct Examination

1 sometimes hundreds of pages.

2 **(15:30)**

3 **Q.** Mm-hmm.

4 **A.** But on average I would say it's not ... I don't know,
5 I'll say 70 ... 60 percent.

6 **Q.** 60 percent are ...

7 **A.** That's a rough guess.

8 **Q.** And I appreciate it's a rough guess. So you ...

9 **A.** I'd say 60 percent we're getting the information we
10 need.

11 **Q.** Okay, and the other 40 you're left chasing stuff down.

12 **A.** Right, and the nurses do spend a lot of time kind of
13 ... it's actually kind of become part of their job is to build
14 the files in order to prepare them for their assessments.

15 **Q.** Would it be helpful to you if that was already
16 prepared in the sense of a military veteran is ... at some point
17 they leave Canadian Armed Forces. They become affiliated with
18 Veterans Affairs. They have their medical record. All the
19 consents are signed. They have access to it and Veterans
20 Affairs has it. So in this scenario Veterans Affairs are in
21 possession of the Canadian Armed Forces records even before they
22 get to you. Would that be helpful?

PATRICK DAIGLE, Direct Examination

1 **A.** Yes.

2 **Q.** And why would it be helpful?

3 **A.** Well, I mean if we're serving them to assess a client,
4 then having all the information at our fingertips it's helpful.

5 **Q.** It gives a complete understanding of ... you don't
6 have to ... I guess it says duplication of services.

7 **A.** Yes.

8 **Q.** So basically, you know that such and such therapy
9 maybe worked at the Canadian Armed Forces. It may work here.

10 **A.** Absolutely.

11 **Q.** Such and such therapy didn't work at the OSI clinic in
12 New Brunswick, so maybe we won't waste our time trying to do it
13 here.

14 **A.** Yes.

15 **Q.** So does it make for a more efficient, effective care
16 model for the military veteran?

17 **A.** Having access to information is always helpful. I
18 will just ... if I can go back ...

19 **Q.** Yeah, sure.

20 **A.** You said maybe not do that. But we have to take into
21 consideration if the person was doing prolonged exposure but
22 maybe in that time there was other life stressors going on.

PATRICK DAIGLE, Direct Examination

1 Maybe they weren't as motivated to do it at that point. Doesn't
2 mean we don't try it again.

3 **Q.** Okay. And I notice that one of the services, for
4 example, offered at the OSI clinic in Nova Scotia was
5 couples/family resources?

6 **A.** Yes.

7 **Q.** What is that?

8 **A.** Veterans Affairs Canada allows family members to also
9 seek supportive counselling if it's going to benefit the goals
10 of the veteran themselves.

11 **Q.** Yes. Okay.

12 **A.** So that we would do psychoeducation and supportive
13 counselling to family members if the veteran is already a client
14 at the clinic. We would not see a family member if the client
15 wasn't at the clinic, for example.

16 Couples counselling is if it's been identified that the
17 couple's relationship needs support and that again it would
18 benefit the ultimate goals of the veteran at the clinic then we
19 have trained some of our staff in doing couple's counselling in
20 order to internally refer to them to support that relationship.

21 **Q.** So I guess my question is more geared towards looking
22 into the future and operations between all of these entities.

PATRICK DAIGLE, Direct Examination

1 So there's a chart. Well, more than a chart. There are
2 detailed notes from a social worker, psychologist, a
3 psychiatrist that talk about someone that has spent time at Ste.
4 Anne's in Quebec. They did a residential program. And a
5 recurring theme over and over and over is the life stressor of
6 the veteran. It's marital breakdown. It's trying to work on
7 his relationship. It's a priority to that veteran. His wife is
8 engaged, wants to talk to therapists, wants to talk to social
9 workers.

10 Would having that information that comes to you from a
11 referral be helpful in sort of trying to structure a treatment
12 program for the veteran as it relates to this family/couples
13 resources?

14 **A.** So I guess I'd go back to my comment that as much
15 information as we can get is helpful.

16 **Q.** I'm going to look at Exhibit 261 and I'm going to look
17 at page 31. So I understand that this is the referral form
18 drafted by the OSI clinic ...

19 **A.** Yes.

20 **Q.** ... that is to be used by an RCMP entity making the
21 referral for an RCMP officer.

22 **A.** Yes.

PATRICK DAIGLE, Direct Examination

1 **Q.** What stood out to me last night was ... if you go in
2 the middle of the page there's a section here that says,
3 "Current health professionals involved in client's care:
4 physician, mental health service provider." What is the purpose
5 of having that there? And there looks to be a space to list the
6 names of who they are.

7 **A.** So first off, the reason we created two separate forms
8 was because some things that are available through Veterans
9 Affairs are not possible to get through RCMP. Prior to about
10 nine months ago we had one form and it caused a lot of
11 confusion. So that's why we worked with our community partners,
12 our referral sources to find out, Okay, what can we get and move
13 forward with that.

14 The current health professionals involved in care. Can you
15 just go up for a second? Just want to make sure I'm answering
16 this right.

17 **Q.** Sure.

18 **A.** This is because on the ... if ...

19 **THE COURT:** Sorry, you want that page scrolled up?

20 **A.** It is. Thank you.

21 With RCMP there's less documentation. So with Veterans
22 Affairs there's another document that comes with it that that

PATRICK DAIGLE, Direct Examination

1 information would be included on but this is all we would get
2 with the supporting documentation from RCMP.

3 **MR. RUSSELL:** Okay, so I just want to clarify, because
4 when I looked back at page 29, which was the referral form ...

5 **A.** Yes.

6 **Q.** ... that was the only document that I saw that's a
7 referral but I didn't see listed where it said current
8 professionals involved or ...

9 **A.** It's a Veterans Affairs official form, not ours.

10 **Q.** So if Veteran Affairs sends you an additional form ...

11 **A.** What we created is a, Here's to help you. Veterans
12 Affairs has their own forms they send as an official referral
13 nationally. We created this for Nova Scotia.

14 **Q.** Okay, so as a rule, does Veterans Affairs always
15 provide this other form along with your referral form?

16 **A.** Yes, because that's the one that says treatment,
17 treatment or disability, and we would not proceed without those
18 check-boxes.

19 **Q.** And does it list current professionals that are
20 interacting or treating the client, do you know?

21 **A.** Honestly, I'd have to look at the form again.

22 **Q.** Yeah.

PATRICK DAIGLE, Direct Examination

1 **MR. ROGERS**: Page 34.

2 **MR. RUSSELL**: Page 34? If we could turn to page 34. Is
3 this the other form you're talking about?

4 **A.** OSI ... this is the one for ... can we go down a
5 little bit? No, this is the one for the OTSSC. This is for
6 active members of the military.

7 **Q.** Okay.

8 **A.** So that's why I'm saying if it's not here I'm making
9 an assumption that it is on another form that they provide
10 that's one of their forms.

11 **Q.** Okay. And I notice that on the OTSSC at page 35 it
12 has a pretty generous space for additional comments and then if
13 we look back at page 32, which is the RCMP referral, again it
14 also has a pretty generous space for clients and it says:
15 "Summary of client's current situation, mental health
16 presentation, additional information regarding reason for
17 referral, employment status, client and provider expectations."
18 I'm not seeing that at page 29 of the Veterans Affairs referral.

19 **A.** And can we go ... thank you. This is the VAC ... and
20 can we go ahead to the RCMP for a second?

21 **Q.** Which would have been page ...

22 **THE COURT**: 34.

PATRICK DAIGLE, Direct Examination

1 **MR. RUSSELL:** 31. I apologize.

2 **A.** Yeah, thank you. So this would have ... I'm thinking
3 this is why. Because when we engage with each of the partners
4 these are things were also requested. Veterans Affairs didn't
5 feel that they needed a lot of space to make additional notes,
6 what they could provide to us was covered, whereas RCMP, they
7 might have other characteristics and things that they felt they
8 might need to add in the comment section.

9 **(15:40)**

10 **Q.** What I'm somewhat curious of is in the RCMP form it
11 says "Client's current situation". Sort of the here and now,
12 the existing client, how they're doing. And it says: "Mental
13 health presentation, employment status, provider expectations."
14 That seems to be pretty important information.

15 **A.** Yes.

16 **Q.** Is there a reason why that information applies to RCMP
17 and maybe doesn't seem to apply when it comes to receiving a
18 referral for Veterans Affairs?

19 **A.** It's not that it's not important, it's who it's
20 screened by. So with the RCMP the referral is coming from
21 nurses and it's coming from psychologists who sign off on it,
22 whereas at Veterans Affairs, as we said earlier, there's many

PATRICK DAIGLE, Direct Examination

1 different disciplines and it may not be within the scope of
2 those disciplines, I'm assuming, in order to collect some of
3 that information, including the presentation.

4 **Q.** So that's what I'm sort of wondering. So RCMP
5 referrals are made by nurses and psychologists.

6 **A.** Yeah, they have ... their referral sources are nurses
7 and then the head psychologist or psychiatrist signs off onto it
8 and sends it to us.

9 **Q.** Do you know or are you aware of the rationale for why
10 they want a nurse or a psychologist making their referral?

11 **A.** I'm not sure. It may be because the ... whatever they
12 do for followups and things like that but I'm not sure.

13 **Q.** Is it possible that a nurse or a psychologist would
14 have a greater understanding of client expectations,
15 presentation, mental health? They might sort of understand the
16 clinical portrait of the person they're referring and the
17 importance of having them there?

18 **A.** It could be but we also do at that at the clinic as
19 well when they arrive.

20 **Q.** You do it when they arrive.

21 **A.** Yes.

22 **Q.** I'm just ...

PATRICK DAIGLE, Direct Examination

1 **A.** Our nurses are screening them as well.

2 **Q.** I'm just sort of trying to gauge a scenario where
3 before you can even get the car on the road the keys are taken
4 away. Basically, No, no, we're going to get a clinical
5 therapist, he says he wants to see someone in the community,
6 we're going to call up Joe Clinical Therapist and away we go.

7 **A.** Right.

8 **Q.** Is there value in the person, from your perspective,
9 that's making the referral that has an understanding of what it
10 is they're referring? And what I mean by that is they
11 understand what a psychologist report means, what it means to
12 ... what cognitive behavioural therapy is, what it means to have
13 an occupational therapist, the importance of, say, a
14 neuropsychological assessment.

15 **A.** So on one level, absolutely, but I think there's
16 variables that are being considered that I might not know full
17 scope on.

18 **Q.** Sure.

19 **A.** One of those variables I might think, or I assume, is
20 that there's a different gateway between a referral coming from
21 RCMP and a much wider gateway of what Veterans Affairs can send.

22 **Q.** Okay.

PATRICK DAIGLE, Direct Examination

1 **A.** So if they're ... I think that if there is a request
2 for mental health services from Veterans Affairs then there's
3 less barriers in order to get that treatment whereas with RCMP
4 there's other options and they do more of an assessment before
5 the referral comes over because of the disciplines they have
6 involved and then they make the decision.

7 **Q.** Okay. I'm going to ask you a little bit about wait
8 times if a veteran becomes affiliated with the OSI clinic in
9 Nova Scotia how quick is it ... and their need of psychiatrist
10 services. Is there any wait time for them or do they get in
11 right away?

12 **A.** So once again are we talking about any level or do you
13 want me to focus on ...

14 **Q.** For example, a veteran is referred to the OSI clinic
15 in Nova Scotia. The triage is done. They're ready to sort of
16 engage and access the resources. They need medication
17 adjustments or assessments as it relates to medication. How
18 long before they see a psychiatrist?

19 **A.** So I can speak on current stance. So current stance,
20 we would book out psychiatrists specifically no more than three
21 months ahead, and we're meeting that right now. So it can take
22 up to ... at this point I think we're at two months and three

PATRICK DAIGLE, Direct Examination

1 weeks in order for the next person to be seen by a psychiatrist.

2 **Q.** So just so I get this right. So currently in Nova
3 Scotia a veteran is affiliated with Veterans Affairs Canada.
4 You have reports that talk about the need, the significant need
5 for psychiatry services in Nova Scotia. They have significant
6 life stressors. They have depression. They have PTSD. They
7 have mixed personality traits. They have a history of non-
8 compliance with medication. It's going to take them two months
9 before they see a psychiatrist in Nova Scotia?

10 **A.** It will in Nova Scotia at the OSI clinic. Now there's
11 other resources in the provinces and those are options also, but
12 once again, the OSI clinic is not an urgent service.

13 **Q.** Okay, and I get that when we define "urgent service"
14 it might be someone that's actively having suicidal thoughts or
15 is making thoughts of going to engage in suicide, and I realize
16 that the OSI clinic is not a crisis service. But you understand
17 the concept of continuity of care, yes?

18 **A.** Yes, yes.

19 **Q.** You understand that if a military veteran spends time
20 in a residential treatment program with a very structured
21 environment with an entire continuity-of-care team - a circle of
22 care that involves social workers, psychologists, psychiatrists,

PATRICK DAIGLE, Direct Examination

1 occupational therapists, art therapists - is it important to
2 continue that continuity of care as quick as possible when they
3 transition back to the community?

4 **A.** So you're naming some qualities in there that we would
5 bring our priority guidelines in for. So if someone was in an
6 inpatient unit prior to coming to us, then most likely they're
7 falling into a priority one and then we're making room within a
8 week for that individual.

9 **Q.** That's what I want to ask about is sort of ... because
10 when I heard you say psychiatrist services was two months down
11 the road are there exceptions to that rule?

12 **A.** Yes, there is exceptions to that rule.

13 **Q.** And if you can explain those to us.

14 **A.** Yeah, so we have three priority guidelines. We use
15 those guidelines in every step of the process. So if it's
16 identified ... whether it's triage, intake, assessment, or if we
17 get to a place where we're starting to have a waitlist for
18 psychotherapy after the assessment, then we would, at all the
19 stages, identify what priority level that individual is at.

20 So if they're a priority one we ... if we need to move
21 somebody around, then we're getting ... we're seeing them within
22 a week. If they're a priority two, then we're looking at

PATRICK DAIGLE, Direct Examination

1 getting them in within a month, I believe it is, and then if
2 it's priority three it's the next available appointment.

3 **Q.** Okay. And is there any discussion between the clinic
4 and Veterans Affairs when it comes to sort of prioritizing some
5 veterans over others?

6 **A.** Well, it's based on the information that we have. So
7 we're assessing that individual. Veterans Affairs may make a
8 recommendation of what priority they feel they were at but we're
9 basing it on our definitions of what that is. So we're going to
10 be reaching out to that client and gathering information.

11 **Q.** And so I guess as a general rule today, a veteran
12 leaves a residential treatment program such as Ste. Anne's and
13 they're referred to the OSI clinic in Nova Scotia and I know
14 it's subject to various variables.

15 **A.** For sure.

16 **Q.** And I want to be fair to you on that. Is it more
17 often than not that they would be given priority to psychiatry
18 in the OSI clinic?

19 **A.** Yeah, and what we would do is we would be ... if we
20 knew that this person was in a treatment facility, whether it is
21 Ste. Anne's or another one, we're going to be advocating that
22 we're brought into this prior to them being discharged because

PATRICK DAIGLE, Direct Examination

1 we want to be part of their discharge plan. So the sooner we
2 know that they're in a treatment facility, then we can be
3 planning ahead of that and then have a conversation with that
4 inpatient unit so we can have those plans set out. Not only for
5 booking them in, in a timely manner but also about, Okay, when
6 they ... when they arrive back at their home what do they need
7 us to be doing? So we're not just looking at a report but we're
8 actually speaking to somebody.

9 **Q.** Okay. So a veteran in 2021, I'm going to say out in
10 the wild. And what I mean by out in the wild is in the general
11 healthcare system in Nova Scotia. They want to see a
12 psychiatrist on a steady basis to monitor compliance with
13 medication, to revisit diagnosis. Are you able to comment on
14 the average wait times for them to see a psychiatrist?

15 **A.** In community Mental Health and Addictions?

16 **Q.** Yes.

17 **A.** I'm not sure to tell you the truth.

18 **Q.** Would it be as efficient as what you can make happen
19 to the OSI?

20 **A.** I make an assumption? No.

21 **Q.** Okay. Now why is that?

22 **A.** Just from my years of being in Mental ... or community

PATRICK DAIGLE, Direct Examination

1 Mental Health and Addictions and I know that there is wait times
2 which I believe are public. But I haven't reviewed them any
3 time recently.

4 **Q.** So in general principles, is it advantageous to a
5 military veteran that needs to access psychiatry services, is it
6 to their advantage to go through the clinic as opposed to out in
7 the province?

8 **A.** Military veterans have more resources available to
9 them than the general public. So whether it is within the
10 government system, the OSI clinic, or privately seeing a
11 psychiatrist, each of those could be a reality for that
12 individual. I might steer them toward either the OSI clinic or
13 to private because those resources are available to them to do
14 both of those.

15 **(15:50)**

16 **Q.** And when you say private psychiatrists they operate
17 their own clinic outside of a Nova Scotia Health model working
18 in a hospital?

19 **A.** Yes.

20 **Q.** Is that ... okay. Do you know if there are many
21 private psychiatrists operating in Guysborough?

22 **A.** I'm not sure where each one is located.

PATRICK DAIGLE, Direct Examination

1 **Q.** Do you think there are any that operate privately in
2 Guysborough?

3 **A.** I couldn't tell you honestly.

4 **Q.** Do you think there are many private psychiatrists
5 operating in Port Hawkesbury?

6 **A.** I would be guessing. So I'm going to say maybe no.

7 **Q.** Do you think there are private psychiatrists operating
8 in Yarmouth?

9 **A.** There is private ... yes, there is in Yarmouth.

10 **Q.** There is? Okay.

11 **A.** Yes.

12 **Q.** Sydney?

13 **A.** Yes.

14 **Q.** Is it more common ... or is it more frequent than not
15 ... is it fair to say that if a military veteran wants to access
16 private psychiatry treatment they're going to get it a lot
17 faster in a central ... if they're in Halifax as opposed to
18 Guysborough?

19 **A.** I think it's a fair statement, but I'm not sure of the
20 wait times on things.

21 **Q.** Is it fair to say that the Province of Nova Scotia, as
22 a general rule, is having a tough time recruiting psychiatrists?

PATRICK DAIGLE, Direct Examination

1 **A.** I would agree with that.

2 **Q.** Yes.

3 **A.** Yes.

4 **Q.** So the fact that they're having trouble recruiting
5 psychiatrists as a general rule, they're not as common and
6 plentiful as we would like.

7 **A.** I would agree with that, yes.

8 **Q.** And if I'm doing it sort of the logic and reasoning,
9 could it be difficult for a military veteran to readily access a
10 private psychiatrist in Nova Scotia?

11 **A.** I would say, yeah.

12 **Q.** So I guess option one, if they can get a psychiatrist
13 through the OSI clinic in Nova Scotia, maybe is that the better
14 option for them?

15 **A.** Certainly, if they would like to come to the OSI
16 clinic and they were okay with kind of the priority level of
17 when they were going to be seen then absolutely.

18 **Q.** In that vein about the psychiatrist, we've learned a
19 little bit about neuropsychologists and they tend to be a bit of
20 a unicorn in the sense of a lot of people can tell us about them
21 but not a lot of people offer that service.

22 **A.** Mm-hmm. Yes.

PATRICK DAIGLE, Direct Examination

1 **Q.** Does the OSI clinic in Nova Scotia offer the service
2 of a neuropsychologist?

3 **A.** So as Dr. Rudnick said, we can do part of those
4 assessments but we refer out. And as he said, because I don't
5 have my pulse on this level of the care that Dr. Rudnick would,
6 he hasn't had a lot of issues with getting them done privately
7 but I don't know how many there are in Nova Scotia.

8 **Q.** Okay. Fo you know anything about sort of ... we've
9 heard a bit about it takes a while to see one, it takes a while
10 for those assessments to be done. Do you have any insight into
11 that or ...

12 **A.** I do not.

13 **Q.** We've heard a little bit from Dr. Rudnick about sort
14 of being aware to military veterans or patients that have
15 problem- solving problems, I believe he said, when he referred
16 to cognitive deficits.

17 **A.** Yes.

18 **Q.** So if you have a military veteran referred through
19 your OSI service who do you send them to for assessment for
20 cognitive ...

21 **A.** So for cognitive remediation I would be sending it to
22 my occupational therapist.

PATRICK DAIGLE, Direct Examination

1 **Q.** For testing to see what the cognitive deficits are.

2 **A.** To start off, yes, and from there, if it was decided
3 that we would need to have a psychologist with certain
4 disciplines and we did not have that in clinic, then we would
5 advocate with Veterans Affairs to support that and to follow
6 through with that.

7 **Q.** In your time at the Nova Scotia OSI clinic have you
8 ever seen a veteran be sent for neuropsychological testing,
9 cognitive testing?

10 **A.** Again, I don't have my fingers on that level but Dr.
11 Rudnick referred to two cases this morning.

12 **Q.** Okay. Earlier on we heard some information about
13 operations of the OSI clinic in 2016 and an interaction between
14 the intake nurse and the Veteran Affairs case manager and there
15 was some discussion about the OSI clinic in Nova Scotia and
16 mindful back to 2016. My question is going to be about present
17 day.

18 **A.** Sure, sure.

19 **Q.** There was some discussion about almost a prerequisite
20 or a recommendation that the veteran have a family doctor before
21 they can access psychiatry services at the clinic.

22 **A.** Okay.

PATRICK DAIGLE, Direct Examination

1 **Q.** Is there any rule currently in place, or any sort of
2 suggested recommendation, that a military veteran have a family
3 doctor?

4 **A.** No.

5 **Q.** No? Are you aware of any past policy that would have
6 had that?

7 **A.** Not policies, no.

8 **Q.** Are you aware of any sort of preference that might
9 have predated you with past employees?

10 **A.** It wasn't a rule when I came to the clinic in February
11 of 2018. I think that there may have been something that was in
12 place prior to that. I don't have a lot of the history on it
13 where it was a preference that they had some ... before a GP was
14 at the clinic that they had a family doctor for seeing a
15 psychiatrist at the clinic. But I don't know a lot about it.

16 **Q.** But it sounds vaguely familiar or ...

17 **A.** Just through ... like just kind of knowing a bit of
18 the history of the clinic.

19 **Q.** Okay.

20 **THE COURT:** Let me ask you. So have you had that kind
21 of discussion just in the last few days with anyone ...

22 **A.** About the?

PATRICK DAIGLE, Direct Examination

1 **THE COURT:** About the probe about ... the questions
2 about whether there was a practice or policy other than what you
3 may have heard me ask Dr. Rudnick earlier today?

4 **A.** I've contacted the old manager, Derek Leduc, and ...

5 **THE COURT:** When did you do that?

6 **A.** We've had conversations within the last two weeks.

7 **THE COURT:** Okay.

8 **A.** And just what started it was does ... I wanted to know
9 was the doctor thought up prior to the tragic events or after
10 the tragic events and he supplied me with some information that
11 it was thought up and in the works prior to the tragic events.

12 **THE COURT:** That is, adding a general practitioner.

13 **A.** Yes, general practitioner.

14 **THE COURT:** To your team.

15 **A.** Yeah. I was trying to find out did ... was this ...
16 was the general practitioner put in place because of it or was
17 it something he advocated before it.

18 **THE COURT:** But there was a note that was prepared by
19 Ms. Tofflemire who had indicated that she'd had a conversation
20 with the case manager and the case manager, VAC case manager
21 said that she'll verify if he has a family doctor before
22 proceeding with the referral. The file would be placed on hold

PATRICK DAIGLE, Direct Examination

1 until then. That was October 6th, 2016.

2 **A.** So my understanding is that there was some rule or
3 some guideline being put in the clinic at that time that ... I
4 don't know who or what the preference was but that it would be
5 preferable that somebody had or that they would only see people
6 for psychiatric treatment if they had a family doctor.

7 **THE COURT:** I'm going to suggest to you there's a big
8 difference between they won't or they should or they will.

9 **A.** True, yeah.

10 **THE COURT:** Okay, so who would know that?

11 **A.** I'm suggesting probably the past manager would know
12 that.

13 **THE COURT:** Past manager?

14 **A.** Yes.

15 **THE COURT:** And, sorry, his name again was?

16 **A.** Derek Leduc.

17 **THE COURT:** Derek Leduc? Thank you. Sorry to
18 interrupt.

19 **MR. RUSSELL:** No, no, that's fine.

20 **THE COURT:** All right. Thank you.

21 **MR. RUSSELL:** So I'm going to move to this ... I put it
22 into inter-provincial transfers, and I know there's no such

PATRICK DAIGLE, Direct Examination

1 thing necessarily, because everything has to come from Veterans
2 Affairs. But if you have a veteran who is ... okay. In your
3 experience with the clinic have you seen veterans moving back
4 and forth of New Brunswick and Nova Scotia, New Brunswick and
5 Nova Scotia, or other provinces?

6 **A.** You mean like physically moving back and forth?

7 **Q.** Yeah, or spending time in, say, New Brunswick, you
8 know, so many days a month and then they're back in Nova Scotia.

9 **A.** I have one client at the clinic right now that they're
10 spending a period of time in Newfoundland. Not moving back and
11 forth, but in order to ensure consistency of care I've
12 registered that professional in Newfoundland so they continue to
13 see that person virtually.

14 **Q.** So you register them ...

15 **A.** Through the Colleges.

16 **Q.** Through the Colleges. So they're seeing whether it's
17 a psychologist or mental health professional in ...

18 **A.** They're seeing ... sorry, they're seeing one of my
19 social workers at my clinic.

20 **Q.** Yes.

21 **A.** But while he's in Newfoundland, instead of stopping
22 his care, we've got privileges so she can continue his care in

PATRICK DAIGLE, Direct Examination

1 ... maybe I got to back up.

2 Q. Sure.

3 **(16:00)**

4 A. In the Province of Nova Scotia disciplines cannot
5 serve another person if they're not on Nova Scotia soil. So if
6 they spend a period of time in a different province they are
7 unable to continue providing that support unless we get the
8 privileges from their College. So we've gone through the
9 process of giving that social worker privileges so she can
10 practice in the Province of Newfoundland while that client is
11 living in Newfoundland.

12 Q. Okay.

13 A. By virtually doing that.

14 Q. So it's to keep the consistency with your clinic ...

15 A. Yes.

16 Q. ... even though the patient is in ... or client is in
17 another province.

18 A. Yes.

19 Q. Can you ever envision a scenario where there's an OSI
20 clinic in New Brunswick, however the veteran spends a fair
21 amount of time in Nova Scotia and they're fairly transient back
22 and forth, where two OSI clinics in neighbouring provinces could

PATRICK DAIGLE, Direct Examination

1 collaborate with one another, that they could almost sort of
2 borrow, I guess that's the wrong term, but allow your
3 psychologist to treat the veteran that's affiliated with their
4 OSI clinic in New Brunswick. Has there been an example of that?
5 Is it even possible?

6 **A.** I mean I do see some ... so what you're seeing is is
7 that if someone lived between two provinces ...

8 **Q.** Yeah.

9 **A.** ... and they would access both clinics to do therapy.
10 I see potential issues because when someone's building a
11 therapeutic rapport and doing therapy with the other individual
12 then, number one, therapeutic rapport is a good part of that.
13 And taking somebody down ... someone starting treatment and then
14 continuing treatment and then going back to a protocol somewhere
15 else, that consistency is missing.

16 Now if it was supportive counselling in two different
17 places I can see that a bit more to have that but I can't
18 envision ...

19 **Q.** So am I getting from you that in some cases it may
20 work, and in some cases it may not work.

21 **A.** Yes. Again, in my opinion.

22 **Q.** Okay. I'm just thinking of sort of almost a more ...

PATRICK DAIGLE, Direct Examination

1 is it possible to sort of have a streamlined approach or do you
2 always have to bring in Veterans Affairs in the sense that
3 someone is affiliated with the OSI clinic in New Brunswick?
4 They were set up with a psychiatrist, a psychologist, an
5 occupational therapist.

6 **A.** Yes.

7 **Q.** However, there are periods of time where because
8 mental health, it can be very fluctuating, there are period of
9 times in Nova Scotia where there becomes to be a bit of a
10 disconnect from their providers in New Brunswick. Can you have
11 someone in Nova Scotia sort of assisting and facilitating the
12 clinic in New Brunswick without reopening a whole new referral
13 or without requiring New Brunswick to make a referral to
14 Veterans Affairs if Veterans Affairs decide ...

15 **A.** We would always want Veterans Affairs to be part of
16 that process.

17 **Q.** Okay.

18 **A.** But we would get the release of information signed so
19 we would be able to communicate back and forth directly with the
20 OSI clinic. And if there are any barriers get in place there,
21 then even myself as a manager - not just in New Brunswick but
22 any province - could work with the other OSI clinic's manager

PATRICK DAIGLE, Direct Examination

1 with consents to get that information between clinics as long as
2 the official referral came from Veterans Affairs.

3 Q. Okay. So in your experience has that ever been done?

4 A. Absolutely.

5 Q. It has.

6 A. Yes.

7 Q. So someone is affiliated with multiple OSI clinics?

8 A. Well, it's because they're transitioning to another,
9 not that they're staying there. But they're transitioning to
10 another one. So if the question is have they been involved with
11 both at the same time, no, it's always been a transfer of care.

12 Q. So it always has to be ... you have to sever the
13 relationship with one to get involved with the other.

14 A. That's been the practice. I haven't experienced that
15 lots.

16 Q. Do you see that as maybe somewhat rigid, that you just
17 have to cut ties with everyone you dealt with in New Brunswick
18 in order to access services in Nova Scotia? You can't sort of
19 have a little bit of both to assist with your transition?

20 A. And it could be just because we've never experienced
21 it. We've never had a client living in both and needing to
22 access both.

PATRICK DAIGLE, Direct Examination

1 **Q.** Okay. But if you turn your mind to it would something
2 like that be possible?

3 **A.** Honestly, it would need to be fleshed out quite a bit.

4 **Q.** I guess in your capacity is there anything preventing
5 you from, say, having a discussion with Veterans Affairs and
6 say, Look, rather than completely severing ties for someone that
7 we have transitioning between both provinces maybe there's more
8 of an easier way of doing this in the sense that they're still
9 affiliated with New Brunswick, they still want to access their
10 resources there, but in the meantime it's important for them to
11 have resources in Nova Scotia, we're willing to facilitate that
12 and allow it. Can that conversation happen with Veterans
13 Affairs?

14 **A.** So I mean it's a bit of a clinical question and
15 operationally ...

16 **Q.** Sure. Yes.

17 **A.** I could have those conversations. I think from a
18 clinical lens it's a bit of a clinical question to find out what
19 would be the barriers to care. Because really what we're doing
20 is we're kind of splitting that care. Because in the moment
21 we're not going to have all of the information to provide that
22 person. Even with all of the documentation in the world, things

PATRICK DAIGLE, Direct Examination

1 that happen in those sessions are important as well.

2 So I guess I can operationally see it. So, for example, if
3 the person was taking part in a group in one province but then
4 also getting their therapy in another province, then the group
5 could be a benefit. And we're looking, actually, right now at a
6 national group where it's online and people can ... whatever,
7 Zoom in or whatever and take part in a certain group.

8 But if someone was doing a protocol and they're starting
9 off with one person and they're picking up that protocol with
10 another person, that's where, I think, my head is finding the
11 barrier.

12 **Q.** Is it fair to say you're kind of doing that anyway in
13 Nova Scotia? When you contract with a clinician in Port
14 Hawkesbury to provide an outpatient service on your behalf with
15 the Nova Scotia OSI they're still outside your clinic. They're
16 assisting you in reaching the end goal. Is it much ... what's
17 the difference between Nova Scotia assisting New Brunswick?

18 **A.** Sorry, can you repeat that?

19 **Q.** So basically if you have a patient in a rural area who
20 wants to ... is part of your OSI clinic ...

21 **A.** Yes.

22 **Q.** ... and you are prepared to allow them to access a

PATRICK DAIGLE, Direct Examination

1 psychologist in Port Hawkesbury who is not affiliated or an
2 employee of the OSI clinic in Nova Scotia, correct?

3 **A.** Yeah. Yeah.

4 **Q.** So they have contact and resources with the Nova
5 Scotia OSI clinic. They also have contact with a mental health
6 professional outside of the OSI clinic in Nova Scotia. I'm just
7 struggling to see how that's any different from here accessing
8 professional help with an OSI clinic in New Brunswick and you're
9 doing it here. What's the difference?

10 **A.** So I think maybe, perhaps, what I'm getting stuck up
11 on is what services they're offering at each. So if, like, for
12 example, someone's in session three of a prolonged exposure with
13 a psychologist in Halifax and then they're expecting to do
14 session four with a psychologist in New Brunswick that's kind of
15 where my mind goes and I would see that ... it's not ... that's
16 not the way that kind of therapy works.

17 **Q.** Okay.

18 **A.** But if it was they're doing their therapy, their one-
19 to-one therapy with a clinician in one province and then they
20 were in a group with another province I see my mind opens more
21 up to that kind of thing.

22 **THE COURT:** Some services might be more amenable to

PATRICK DAIGLE, Direct Examination

1 being split between locations and others you require the
2 continuity of the same person to be effective.

3 **A.** Yes, that's what they are. Exactly.

4 **MR. RUSSELL:** Okay. That's fair. I'm just going to ask
5 you a little bit about ... there was a paper that you had
6 completed with Dr. Rudnick.

7 **A.** Yes.

8 **Q.** It was about, in the pandemic, using technology as a
9 way to sort of reach out to clients.

10 **A.** Yes.

11 **Q.** And you've indicated that you've had ... it's brought
12 up on the screen, Exhibit 265. You've indicated that there was
13 some success with that?

14 **A.** Yes.

15 **Q.** And what were the advantages that you saw?

16 **A.** So I mean pandemic ... I always try to figure out the
17 silver linings of COVID-19 and virtual care has been a silver
18 lining. Nova Scotia Health, one of the things that they were
19 working on as they head toward what is referred to as Direction
20 2025 was increasing virtual care. And pandemic did that in a
21 matter of weeks to months, what was supposed to take five years.
22 Because we needed to.

PATRICK DAIGLE, Direct Examination

1 So the ability for us to move onto a platform that is
2 already evidence-based and has evidence towards the validity of
3 this ... so it wasn't about could it work, it was about getting
4 people's buy-in of, This is something new. And when I say that,
5 I say that about our clients, our partners, my team. Everybody
6 kind of had reservations. Because I've been trying to advocate
7 for virtual care, or increase virtual care, because of people in
8 rural communities since I got to the clinic. So when I got to
9 the clinic we talked a lot about telehealth. We do have
10 telehealth platform.

11 **(16:10)**

12 Shortly after getting to the clinic I introduced a program
13 called Medeo, which is another virtual platform that people can
14 ... I keep on Zoom in to our Medeo intro from home. And that
15 was something that was implemented at the clinic and being done
16 prior to COVID-19. But COVID-19 increased the uptake by all
17 parties to move to virtual platforms. So now with the Health
18 Authority in Central Zone moving to a 50 percent ... being able
19 to see a 50 percent in-person client rate, we're now starting to
20 see a balance between in person, phone, and on virtual
21 appointments.

22 **Q.** So are you able to gauge sort of ... are you having

PATRICK DAIGLE, Direct Examination

1 any success with that?

2 **A.** Yes. Yes. I mean success as based on client
3 experience and CROMIS data.

4 **Q.** Yeah. And it seems to be reflecting that it's being
5 helpful to clients.

6 **A.** Yes. It is.

7 **Q.** Obviously with anything there are limitations. So
8 what type of services are available virtually?

9 **A.** So in some capacity, all services. So a client has a
10 choice of whether they want to be seen in person or virtually
11 but, you know, psychiatry assessments can be done virtually. Or
12 any assessment can be done virtually. All the different types
13 of therapy we do have been done virtually and we've sought out
14 evidence for each one of those things. Even our general
15 practitioner can do some of their appointments virtually and
16 then, if needed, bring them in person if ... or we do have some
17 questions that are asked of the client of, Is this something
18 that you need to be seen in person for? And then if they're not
19 sure we can do it on phone or virtually and then if it's
20 assessed we can bring them in for their appointment.

21 **Q.** Can you do the three gold standards in psychology by
22 virtual?

PATRICK DAIGLE, Direct Examination

1 **A.** Yeah, there was already a number of ... much evidence
2 for prolonged exposure and cognitive processing therapy and
3 COVID brought out emerging evidence on EMDR.

4 **Q.** So prolonged exposure and cognitive therapy you can do
5 virtually?

6 **A.** Yes.

7 **Q.** Can you do EMDR virtually?

8 **A.** That's the emerging research.

9 **Q.** Okay.

10 **A.** But it has been ... they are doing it and finding some
11 success with that.

12 **Q.** And what percentage of patients or clients are you
13 finding are adopting this and taking this as a good thing?

14 **A.** Virtual care?

15 **Q.** Yes.

16 **A.** I think we're probably at the 50 percent mark, 50
17 percent in person and 50 percent still online.

18 **Q.** Okay.

19 **A.** Yeah.

20 **Q.** Would you agree that although it's a great stride
21 forward it's certainly not a solution for everything?

22 **A.** It's not a solution for everything. It is a balance.

PATRICK DAIGLE, Direct Examination

1 So clients always should have a choice of whether they want to
2 be seen in person with pandemic protocols or virtually.

3 **Q.** So what do you see as sort of maybe some limits to ...
4 what's the scenario where a client would need more than virtual
5 care?

6 **A.** So for example, if ... well, number one, if the
7 client's choice and they wanted to be seen in person that needs
8 to be taken into consideration. If there was risk factors
9 involved where we've identified that this person could have
10 safety issues. That would not mean we would discount virtual,
11 in my opinion, because if it was between the client not coming
12 in at all or being seen virtually we would want to make sure we
13 have a contract in place.

14 So, for example, we would know who's the other person in
15 the house right now, what is their contact number? Reminding
16 the client that if we see them decompensating, if they shut the
17 laptop screen, then it is our legal responsibility where ... if
18 we have concerns to call, like, the emergency services, you
19 know, confirming the location they're at.

20 So those are cases that we would perhaps encourage the
21 client to come in, but also if we were doing it virtually those
22 are considerations we would have to take.

PATRICK DAIGLE, Direct Examination

1 **Q.** Okay. If we turn to page 4 of Exhibit 265 this is ...
2 I'm going to refer to your conclusions regarding your report.
3 About midway through you said there admittedly there's a number
4 of limitations and you set them out. First is the universal
5 broadband, or basically internet access, as a natural barrier.

6 **A.** Yes.

7 **Q.** And I guess what you're getting at there is sort of
8 rural regions as a rule maybe don't have the best internet
9 service.

10 **A.** Yeah, if we can fix that, that would be great.

11 **Q.** We've really seen it in a provincial courthouse in the
12 province.

13 **THE COURT:** Don't start.

14 **MR. RUSSELL:** So that's a fairly significant ... I mean
15 you're striving for an end goal, and I see that, but right now
16 there's some heavy qualifiers on that.

17 **A.** Yeah, so this is where we would ... we always ... when
18 we're doing a virtual appointment we always collect a phone
19 number in case of technology issues. So even psychiatric
20 assessments. You know, we've learned how to do them on the
21 phone if needed.

22 **Q.** Are you running into sort of scenarios where ... I

PATRICK DAIGLE, Direct Examination

1 guess from different worlds we often see ... it's unfortunate
2 that a lot of people that are struggling with mental health it
3 seems to, a lot of times, go hand in hand with poverty. And
4 it's great to believe that everyone has access to the internet
5 or access to computers or even organized enough to be able to
6 get that set up but, unfortunately, a lot of people that are
7 struggling with mental health it goes hand in hand with going to
8 the food bank.

9 **A.** Mm-hmm.

10 **Q.** Have you run into any issues with that?

11 **A.** We have not at the clinic. However, community Mental
12 Health and Addictions in the early days of COVID put measures in
13 place because they have a higher population of clients where
14 they actually got cell phones and gave them to the clients.

15 **Q.** Okay.

16 **A.** And if they needed to do virtual then they gave them
17 prepaid phone cards.

18 **Q.** All right. As well, you indicated ... you said
19 generalized conclusions. Again, it's that ... about four lines
20 from the bottom:

21 Hence, generalized conclusions have to be
22 qualified and well-controlled research is

PATRICK DAIGLE, Direct Examination

1 needed to address processes, outcomes, and
2 their predictors in relation to shifting to
3 remotely delivered mental healthcare.

4 What do you mean by that?

5 **A.** So we need to do ongoing research on this. You know,
6 this is a short period of time. It's highlighted as an issue.
7 It's carrying it forth. It's not the end of the story.

8 **Q.** So it's sort of we're at a starting point, we need to
9 really see how effective this is.

10 **A.** Yes.

11 **Q.** And how we can roll it out.

12 **A.** Yeah. And we need to build the evidence for or
13 against it.

14 **Q.** I'm going to sort of end with a quick series of
15 questions about barriers to healthcare and healthcare as it
16 relates to a military veteran.

17 **A.** Sure.

18 **Q.** And, in particular, from rural regions and perhaps
19 from a community such as Guysborough, which is historically
20 African-Nova Scotian community.

21 **A.** Yes.

22 **Q.** Within Guysborough. Have you seen in your experience

PATRICK DAIGLE, Direct Examination

1 with clients affiliated with the OSI that are in rural regions
2 that may seem to be struggling with being able to access all the
3 resources that someone is getting in central Halifax or
4 Dartmouth?

5 **A.** I'm sorry, I'm thinking about ... you know, the first
6 thing to come to my mind is Amherst, for example. We have some
7 clients in Amherst that they're kind of between Sydney, they're
8 between Dartmouth. There is some private folks there. So
9 sometimes I see them turning to go private because, you know,
10 they have the options available to them and they're kind of just
11 in the middle of everything. So I've seen that kind of thing.

12 **Q.** Okay, so there's ... I guess would you agree that part
13 of your role as ... in the position you're in is to sort of
14 start to remove barriers for military veterans of different
15 backgrounds to be able to access services equally.

16 **A.** Absolutely.

17 **Q.** And part of that is the concept of setting up that
18 satellite clinic in Sydney.

19 **A.** That and virtual and whatever else we can do,
20 absolutely.

21 **Q.** What can allow you to do your job ... and in a perfect
22 world you'd be able to remove every barrier. A military veteran

PATRICK DAIGLE, Direct Examination

1 from Guysborough would be able to access the same resources as a
2 military veteran who lives nextdoor to the OSI clinic in
3 Dartmouth. If that's the perfect goal what do you need or can
4 assist you in getting there? And don't be shy.

5 **(16:20)**

6 **A.** I think that there's ... I mean I'm just thinking off
7 the cuff here to tell you the truth. But, you know, if we
8 really expanded it to make sure that anyone who was seeing a
9 military veteran, private or public, had a basic level of
10 knowledge that there was ... you know, it was well known no
11 matter what discipline it was. We knew that they had the
12 qualifications to treat that individual and that ... and again,
13 private or public. Because, you know what, an OSI perhaps
14 doesn't have to be under one roof.

15 And the HR, psychiatry resources. So we need more
16 psychiatrists in the province. To some degree, we need more
17 psychologists in the province as well. I would say those are
18 the two particular disciplines, as well as general practitioners
19 for medical care.

20 **Q.** And I guess this is both ... and I recognize that you
21 receive your funding from Veterans Affairs Canada, which comes
22 from a federal bank account but, in general, from what you see

PATRICK DAIGLE, Direct Examination

1 as well, Nova Scotia can assist generally in those aspects of
2 more psychiatrists, more psychologists, higher levels of
3 qualifications?

4 **A.** Ph yeah, I think it's everyone's part to play in that.
5 It's not just one person.

6 **Q.** Okay.

7 **A.** Yeah.

8 **Q.** No further questions, Your Honour.

9 **THE COURT:** Okay. Ms. Grant?

10 **MS. GRANT:** Thank you, Your Honour.

11

12 **CROSS-EXAMINATION BY MS. GRANT**

13 **(16:22)**

14 **MS. GRANT:** Good afternoon.

15 **A.** Hello.

16 **Q.** Hi. My name is Melissa Grant and I'm representing the
17 Government of Canada, including various federal entities such as
18 Veterans Affairs and the Canadian Armed Forces.

19 Just wanted to confirm a couple things. The form that my
20 friend had up and we were talking about the referral form. You
21 don't know if that was in use in 2016-2017, do you?

22 **A.** Sorry ... oh, the OSI's referral form?

PATRICK DAIGLE, Cross-Examination by Ms. Grant

1 **Q.** Right.

2 **A.** I know it was predated me in February of 2018. I
3 don't know if it was there in 2016.

4 **Q.** So we've talked about that VAC is the funder in this
5 situation and that if VAC is the ... and the referral source for
6 veterans. If VAC is, say, on board with funding someone's
7 treatment at an OSI clinic you would agree that the client can,
8 at any time say, Thanks but no thanks.

9 **A.** Yes, that's the client's right.

10 **Q.** And would you agree that issues like wait times for
11 mental health treatment, access for mental health treatment, the
12 recruitment and retention of the professionals, that that's an
13 issue for all Nova Scotians, not just military veterans?

14 **A.** I would agree.

15 **Q.** And in terms of the discussion you had earlier about
16 wanting to plan for a discharge if somebody was coming from,
17 say, Ste. Anne, I know you haven't had that experience
18 personally ... or dealing with Ste. Anne. Or have you dealt
19 with Ste. Anne?

20 **A.** We haven't dealt with Ste. Anne's but we've dealt with
21 other inpatient treatments where we've done that with them.

22 **Q.** Okay. So you had indicated that ideally you'd want a

PATRICK DAIGLE, Cross-Examination by Ms. Grant

1 discharge plan in place, I think.

2 **A.** Well, it's not that just we want it. Any treatment
3 facility should be doing that.

4 **Q.** Right.

5 **A.** So we would just like to be part of the discharge
6 planning that they're doing when the person returns if we're
7 part of their care. So the earlier that we can get involved, if
8 that is known, and we understand if it's not, but if they're
9 discharge planning most likely that's known. Then we would love
10 to be involved in that piece.

11 **Q.** So you're planning ahead.

12 **A.** Yes.

13 **Q.** So would agree that it would be highly unusual for
14 somebody who left your OSI clinic, did a residential treatment
15 centre, and then moved somewhere else? Effectively short-
16 circuiting your plans for the future.

17 **A.** And that is a possibility.

18 **Q.** And would you agree with ... you were here. We saw
19 you here earlier today. So for Dr. Rudnick's testimony. But
20 would you agree with one of the statements that he made, which
21 is that the driver of the care is the client?

22 **A.** I agree.

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 written consent to disclose
2 information including the
3 assessment report to the referral
4 source. If the client declines
5 the assessment cannot proceed
6 because the referral source is
7 also our client.

8 **A.** Mm-hmm.

9 **Q.** And we heard questions to Dr. Rudnick this morning
10 about what would happen, would it just stop, and he said, We
11 would engage in conversation and explain why. And he's not seen
12 that happen.

13 But then it goes on to say, "It is often helpful to obtain
14 consent to communicate/share a report with family physician and
15 any other care providers involved with the client." So I take
16 from that you're anticipating care providers outside of the OSI
17 clinic.

18 **A.** Yes. Yes.

19 **Q.** And community.

20 **A.** Yeah. Can I speak on that for a second?

21 **Q.** Yes, please.

22 **A.** It's something I wanted to highlight, actually. Thank

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 you for reminding me. So the consent here is actually ... it's
2 called a Friends and Family form. It is an automatic form we
3 ask at the point of intake. It is something not just with the
4 OSI clinic but all of Mental Health and Addictions and is part
5 of what we are complying with Accreditation Canada. So at the
6 early part of treatment we are asking about friends and family
7 who are supporting them and the changes they are trying to make,
8 gathering their name and their contact information and the
9 relationship.

10 And if they say, No, there's nobody, no, I don't want to
11 put anyone in, we put a line through the piece of paper and we
12 still put the blank paper on the health record to show the rest
13 of the team as they go through care that they've been asked and
14 so we can continually throughout saying, Hey, I noticed you
15 didn't have anyone before, just wondering, can we check in on
16 that again?

17 **Q.** Okay. And that Friends and Family form as you've just
18 described it, it strikes me that that's the form that you would
19 need completed and with the authorization from the client to
20 allow your clinic and relevant treatment providers to access the
21 collateral information from family and friends?

22 **A.** So it's a bit different. So it can be that form.

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 That's an automatic trigger.

2 Q. Yes.

3 A. It can be any consent. They can add a family member
4 to any consent form. Or it can also be ... you know, for
5 example, if the client is in the room with them. We wouldn't
6 need a consent for that because they're in the room.

7 Q. Of course. So that sort of takes me to my next
8 question. How many consent forms are there for a client
9 potentially to have to complete and when would those forms
10 ideally be completed?

11 A. So there is ... at the point of triage we're talking
12 about the welcome package.

13 Q. Yes.

14 A. And in the welcome package there are at least three
15 consent forms: consent for treatment, consent for CROMIS, and
16 consent to release information. And that's either mailed out to
17 them if it's a virtual appointment after being discussed by one
18 of the nurses or it is done in person with them if they're
19 coming in for an in-person intake.

20 Q. Okay. And the consent to release information form ...
21 we talked about the consent to receive treatment. Then the
22 CROMIS form, which is your metric measurement for success. But

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 the consent to release treatment, is that a broad-based consent
2 to release information, rather, to release information?

3 **A.** That ... yeah. There is a broad-based form. Because
4 throughout their time with treatment there might be new things.
5 So for example, there is ... through the veterans community
6 there is a third-party contract, I think it is, called the March
7 of Dimes that Veterans Affairs has contracted with that they do
8 a portion of the work and that are asking us to complete forms.
9 Because they're not technically Veterans Affairs we would need
10 to get a consent to release information to these March of Dimes
11 folks, but that might not be done at the start of treatment.
12 That might not happen for six months.

13 So that's when this general form to release information
14 would come out and we would just get that filled out each time
15 the client had a new person that he or she would like to release
16 information to.

17 **Q.** Okay. So when we go back to this exhibit at page 18
18 when it says: "It is often helpful to obtain consent to
19 communicate, share, report with family physician and any other
20 care providers involved with the client." So if we focus in on
21 that circle of care in the community ...

22 **(16:30)**

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 **A.** Yes.

2 **Q.** ... family doctors and care providers with the client.
3 What form or forms need to be signed to release information to
4 those folks and when would they be signed?

5 **A.** So, technically, in the Province of Nova Scotia you
6 don't need to consent to share information with a family doctor.

7 **Q.** Okay.

8 **A.** Okay.

9 **Q.** So why does it say here ... I'll just, as we're on
10 that, why does it say here it's helpful to obtain consent to
11 communicate share, report with a family if technically you don't
12 need it?

13 **A.** Because we also want to highlight the fact that a
14 client has a choice. So if the clients specifically state I
15 don't want you sending things to the family doctor then we need
16 to start having those conversations as Dr. Rudnick said ...

17 **Q.** Okay.

18 **A.** ... about the benefits of that and about not siloing
19 treatment.

20 **Q.** Okay. All right. So technically you said technically
21 you don't need to have that consent with the family doctor but
22 then other care providers, when would that form ... is that the

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 same form as the one that authorizes the clinic to release
2 information to the family doctor?

3 **A.** Or March of Dimes or whatever.

4 **Q.** Okay.

5 **A.** It's one of those ones that you can list a number of
6 people on.

7 **Q.** Okay. And you can circle back to at later dates to
8 add people on?

9 **A.** And you can always fill a new one out. There can be\
10 multiple of these, because any ... at any point in their
11 treatment a new person may come up that they would like to
12 release information to.

13 **Q.** Okay. So when it goes on to say: "Note that a
14 separate consent form is required for each individual and must
15 specify the information to be shared explicitly."

16 So I understood you to say there's a general form and you
17 just add people's names or entities on that form, but this
18 leaves me with the impression that there are separate individual
19 forms for disclosure to different people.

20 **A.** Okay. I may be ... I'm sorry, I think I'm getting
21 myself mixed up. The driving... my old job there was multiple
22 ones, Driving while Impaired Program where we were putting

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 collaterals. I think the one that we're now using with the
2 Mental Health and Addictions it's one per page. But it's the
3 same form, we're just filling it out multiple times.

4 **Q.** And that is done ... I guess some are collected
5 permissions at the beginning?

6 **A.** So at the beginning would be the CROMIS for outcome
7 measures ...

8 **Q.** Yes.

9 **A.** Consent for treatment which is a different form.

10 **Q.** Yeah.

11 **A.** And then it's the consent to release information to
12 others, so it might be Veterans Affairs. It could be ... you
13 know, if they want to fill one out if they're more comfortable
14 filling one out for their doctor then we'll fill one out even
15 though we don't need to have that or whoever else. Because any
16 client could have a number of people that they would like to
17 release information to but we will not release that information
18 unless we have a signed form.

19 **Q.** Okay, I appreciate that, and just structurally I'm
20 trying to grasp are we ...

21 **A.** Absolutely, it's not easy.

22 **Q.** As we are trying to balance, you know, privacy and

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 administration burden and, you know, things not falling through
2 the cracks, are we talking about every single person who's going
3 to get any information needs a separate form or is there one
4 form with multiple people on it that are checked off or taken
5 off? I'm not clear from your evidence now.

6 **A.** Yeah, no, it's one form with one person on it and it
7 would have to be signed for each one. So, for example, if the
8 person was seeing a psychotherapist in the community and if we
9 were going to send them the documentation or if we were going to
10 ask them for documentation and we needed to send them a copy of
11 our release of information form, then we can't send that with
12 other people's information on it so we need to send the one that
13 has their name on it whereas, for example, the Driving While
14 Impaired program in another position where I was confused, we
15 wouldn't be sending it to anybody else so it would just be going
16 in the health record.

17 **Q.** Okay. So I think you've answered my question. I was
18 going to ask you why do you have separate forms if, in your
19 prior, employment you just had one form but it's to ensure that
20 you're not disclosing other potential recipients of the client's
21 information?

22 **A.** Yeah. Which is also breaching confidentiality if we

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 were.

2 Q. Okay. Thank you, that's helpful.

3 My last series of questions is around accessing and sharing
4 information outside of the clinic. You said it's not an urgent
5 care facility. It operates Monday to Friday, 8 to 4:30. If a
6 patient or a client, rather, is in crisis on the weekends or
7 evenings, they would have to go to an emergency room or access
8 the provincial mental health crisis team?

9 A. Or the Veterans Affairs Assistance Line, as well,
10 which I had forgotten about.

11 Q. Okay. So there's three things: the Veterans Affairs
12 Assistance Line, the emergency room, or the provincial mental
13 health crisis team?

14 A. Yes.

15 Q. So if you have a client that finds themselves in
16 crisis and accesses one or all of those options after hours, how
17 ... well, I guess with Veterans Affairs they would know about
18 you, but if it's an emergency room or the provincial mental
19 health crisis team, how do those entities get access to your
20 records at the OSI clinic which would likely inform them in
21 terms of the crisis that the client is presenting? How would
22 they get access to your records?

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 Say, it's Saturday afternoon and you have a client in
2 crisis who's in an emergency room in Guysborough, how would
3 they, if at all, be able to access the OSI Nova Scotia records
4 of relevance to help inform their diagnosis and treatment?

5 **A.** So how would they ... they ... so we're part of the
6 Health Authority so we're on OneContent or, from a shared
7 portal, they could access one ... if a different part of the
8 province with MEDITECH, for example, they could go through a
9 SHARE portal and view our records as well.

10 **Q.** Okay, so in Halifax is it that they would go through
11 One Choice?

12 **A.** OneContent.

13 **Q.** OneContent.

14 **A.** It's the name of the health record.

15 **Q.** Okay. And outside of Halifax?

16 **A.** MEDITECH.

17 **Q.** They would go through MEDITECH.

18 **A.** Now Central Zone I should say. So, for example,
19 Enfield to the tip of Cape Breton and then the other way.

20 **Q.** Okay. Yeah. That Central Zone is one ...

21 **A.** OneContent.

22 **Q.** ... OneContent?

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 **A.** Yeah. And if there's previous documentation it was
2 called HPF ...

3 **Q.** Okay.

4 **A.** ... Patient Horizon File. It ...

5 **Q.** So currently now in Nova Scotia emergency room
6 providers can go online whatever of those two systems would be
7 applicable given their geographical location to access records
8 from the OSI Nova Scotia clinic?

9 **A.** That's my understanding, yes.

10 **Q.** Okay. And what kinds of records would they be able to
11 access, all of them?

12 **A.** Yes.

13 **Q.** Okay.

14 **THE COURT:** Ms. Miller, I'm going to stop you just for a
15 second ...

16 **MS. MILLER:** Yes.

17 **THE COURT:** ... because I have a question that just
18 follows on that.

19 **MS. MILLER:** Yes.

20 **A.** Yeah.

21 **THE COURT:** So you have an individual who's referred ...
22 best example I think is a veteran that comes out of the OSI

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 clinic in Quebec, he's been in Ste. Anne's for two and a half
2 months, he gets referred to your clinic. You wind up with his
3 discharge summary, you wind up with the closing notes of the
4 psychiatrist, the closing notes of the general practitioner, any
5 closing notes, observations and nursing notes of the
6 psychologist, he goes through your clinic to the point where
7 there's an assessment, then he winds up in the Emerg in some
8 place in acute distress and the doctors in Emerg want to know
9 what's going on with this fellow, will all of those documents
10 that I just referred to be accessible to the doc in Emerg?

11 **A.** Anything that's sent to our clinic as part of the
12 referral package, on the day it arrives will be processed and
13 sent to the health record.

14 **THE COURT:** So it would be ...

15 **A.** Yes.

16 **THE COURT:** ... within a short period turnaround time,
17 all of it would be available for them to be able to access?

18 **A.** Yeah.

19 **THE COURT:** And would they have to know where to go to
20 access? For instance, he winds up into emergency and he's in
21 distress and you go, Well, we have the fellow's name, we know
22 he's a vet, we have some reason to believe he may have been to

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 the OSI clinic, I mean will they know where to go and look for
2 that information? Is it that kind of common knowledge or is it
3 intuitive ...

4 **A.** Yeah, so, I mean, you can ... Sorry.

5 **THE COURT:** Go ahead.

6 **A.** So I'll back it up. So it comes in, we process it and
7 send it to the health record, make a copy of it so we're not
8 delaying the nurses being able to see it.

9 **THE COURT:** Right.

10 **A.** And then there should be like a front page of all of
11 their visits. So they're going to see ... they should see ...
12 like, for example, in an emergency room they should see a notice
13 that there's something opened at the OSI clinic.

14 **THE COURT:** Gotcha.

15 **A.** And then under the referral or the referral
16 documentation section of that then they should be able to go in
17 and see all of that stuff.

18 **THE COURT:** And they'd have to start hunting it down but
19 ...

20 **A.** Yeah, it's ...

21 **THE COURT:** ... there's breadcrumbs ...

22 **A.** ... definitely not a perfect system.

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 **THE COURT:** ... so to speak, they would be able to see
2 it?

3 **A.** They should be able to see it, yes.

4 **THE COURT:** And they should be able to follow it
5 themselves?

6 **A.** Yeah.

7 **THE COURT:** And eventually get to it all.

8 **A.** One Patient-One Record will be better because having
9 two records in the province is not ideal.

10 **THE COURT:** I understand that. Yeah.

11 **A.** But they should be able to see it.

12 **THE COURT:** I think we're going to deal with that
13 tomorrow perhaps but, anyway, but thank you. Sorry, I ...

14 **MS. MILLER:** No, you're following along my line of
15 questions, Your honour.

16 **A.** If you could fix that too, that would be great.

17 **THE COURT:** Well, you know, if it's challenge, we'll
18 look at it.

19 **MS. MILLER:** So just to tidy that up a little bit for my
20 own brain.

21 **A.** Yes.

22 **Q.** There were ... if I'm an emergency room doctor in

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 Guysborough and Cpl. Jane White comes in, retired, and is in an
2 acute mental health crisis and I type her name into ... I'd have
3 to go into both systems, I guess, to see what might be in them,
4 MEDITECH and the One Choice?

5 **A.** OneContent.

6 **Q.** Sorry, OneContent.

7 **A.** Sorry, I'm processing your question.

8 **Q.** Yeah. I'm in Guysborough, I have a veteran in front
9 of me who's in a mental health crisis. I enter her name into a
10 ... I'd have to go into two systems.

11 **A.** So ... okay, so if it happened in Central Zone ...

12 **Q.** Yeah.

13 **A.** So remember, we're a provincial clinic so that means
14 we have psychotherapy happening in Cape Breton under one health
15 record and we have all the other stuff happening in Central
16 Zone. So what I've done knowing that I want and I feel most
17 comfortable with everything being on one health record. I don't
18 want their psychotherapy only up on MEDITECH and the rest of
19 their treatment only in Central Zone.

20 So what I've done is I've created a bit of a hybrid model
21 through the Health Authority's support and a lot of work, to
22 make sure that we shadow everything that happens in Cape Breton

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 in on OneContent to make sure if someone is going on OneContent
2 they can see the whole client care in one place.

3 Q. Perfect. Okay, I've got it. Thank you.

4 A. Yes.

5 Q. And then the flip side of that is somebody comes out
6 of an emergency room on the weekend, how do you, back at the OSI
7 Nova Scotia clinic, find out about that visit if they don't tell
8 you?

9 **(16:40)**

10 A. So it's regular checking. You know, people are
11 prepping for their sessions, so they're going on to the health
12 record and they're doing a review, especially if they're coming
13 to see psychiatry ...

14 Q. Yeah.

15 A. ... it's just ... it's part of their practice.

16 Q. So is there a flag that would be put on the system,
17 you know, Cpl. Jane Smith is seen in Guysborough emergency and
18 that gets flagged in the system? We understand that emergency
19 room visits would get pushed out to the family doctor of record
20 ...

21 A. Right.

22 Q. But in terms of your clinic being able to see that.

PATRICK DAIGLE, Cross-Examination by Ms. Miller

1 **A.** I don't think we get an automatic notification.

2 **Q.** Okay. So it's left to the individual making sure they
3 go online to the electronic record of choice?

4 **A.** Yes.

5 **Q.** I mean in this case they would have to check several
6 different places, right? Because you're in Halifax but you
7 could be dealing with somebody in Guysborough, somebody in
8 Yarmouth, somebody who's in the Central Zone. So your
9 individual folks would have to take that initiative to go and
10 look to see?

11 **A.** Yes.

12 **Q.** Okay. Thank you.

13 **A.** No worries.

14 **Q.** That's helpful. Appreciate your time.

15 **THE COURT:** Mr. Rodgers?

16 **MR. RODGERS:** No questions from me, Your Honour.

17 **THE COURT:** I know that ... Mr. Rory Rogers, do you have
18 questions or are you going to defer?

19 **MR. ROGERS:** I was going to defer if I could, Your
20 Honour.

21 **THE COURT:** Well, that's what I thought. Thank you.
22 Mr. Adam Rodgers?

PATRICK DAIGLE, Cross-Examination by Mr. Rogers

1 **MR. RODGERS:** Thank you, Your Honour. I have no questions
2 for this witness.

3 **THE COURT:** Thank you. Mr. Morehouse?

4 **MR. MOREHOUSE:** No questions, Your Honour. Thank you.

5 **THE COURT:** Ms. MacGregor?

6 **MS. MACGREGOR:** No questions. Thank you, Your Honour.

7 **THE COURT:** All right. Mr. Rogers, it looks like you're
8 still up.

9 **MR. ROGERS:** That was a short deferral.

10 **THE COURT:** Thank you.

11

12 **CROSS-EXAMINATION BY MR. ROGERS**

13 **(16:42)**

14 **MR. ROGERS:** Mr. Daigle, you referred in response to some
15 of the questions that VAC is the funder of the OSI clinic and is
16 also the referral source, and you also referred at one point to
17 the MOU. Could we turn up Exhibit 267, please? I won't take
18 you to the end of this document, Mr. Daigle, but this is a 2015
19 document that's titled "Memorandum of Understanding -
20 Operational Stress Injury Clinic Operational Agreement between
21 the Department of Veterans Affairs and the Nova Scotia Health
22 Authority".

PATRICK DAIGLE, Cross-Examination by Mr. Rogers

1 Is this the MOU or the memorandum of understanding you were
2 referring to?

3 **EXHIBIT P-000267 - OSI CLINIC - OPERATIONAL AGREEMENT**

4 **A.** Yes, this is it. I'm hesitating because I'm not sure
5 if it's the most recent one which would just have some pen marks
6 on it when it was updated after five years.

7 **Q.** Okay. And then could we turn to page 5 of that,
8 please, and zoom in on the referral section, section 5 if you
9 would. So this references that the department, which is defined
10 at the outset, is the Department of Veterans Affairs, will have
11 the sole discretion to determine which clients will be eligible
12 for treatment at the clinic?

13 **A.** Yes.

14 **Q.** So is this the basis, the fundamental basis, that
15 Department of Veterans Affairs funds the clinic and provides the
16 referrals?

17 **A.** Yes. Clients cannot self-refer.

18 **Q.** Okay, thanks.

19 Then the second area I wanted to touch on is the one that
20 you covered a moment ago in response to some of the questions
21 from Ms. Miller and Judge Zimmer, it's in relation to the
22 healthcare records. And I know the Inquiry is going to hear

PATRICK DAIGLE, Cross-Examination by Mr. Rogers

1 evidence from individuals at Nova Scotia Health who can speak to
2 those issues, but I just wanted to touch on a few areas just to
3 make sure that we understand your understanding of the systems
4 in place.

5 So you referred to the Central Zone and a health record
6 system being available called OneContent. So currently what's
7 the Central Zone of the Nova Scotia Health Authority?

8 **A.** Central Zone starts at Windsor, Nova Scotia and goes
9 to Enfield, Nova Scotia or just before Enfield, Nova Scotia, out
10 to Middle Musquodoboit/Sheet Harbour and then Halifax.

11 **Q.** So then all the facilities within that Central Zone,
12 or the former district, then have OneContent as the electronic
13 document management system?

14 **A.** Yes.

15 **Q.** And then you also referenced MEDITECH and this Inquiry
16 has heard evidence of that system being available. So is that a
17 comparable electronic health record system to OneContent but
18 just in other parts of the province?

19 **A.** Yes.

20 **Q.** And then I think you made reference to this, but I
21 wanted to make sure that we have clarity. You indicated that
22 within your clinic you have taken steps to ensure that you and

PATRICK DAIGLE, Cross-Examination by Mr. Rogers

1 all your clinicians and healthcare providers have access to
2 both. How have you done that?

3 **A.** Just going through kind of IT in-trainings. And just
4 because we're a provincial program we could provincially be
5 seeing people so I felt it was important for the team to have
6 access to everyone's health information.

7 **Q.** Okay. And then when you are entering information on
8 the patients who have been referred in to you by VAC or the
9 RCMP, you're entering it on to which system?

10 **A.** OneContent.

11 **Q.** Okay.

12 **A.** Yes.

13 **Q.** And, again, if this is outside your area of knowledge
14 that's fine, but you, yourself, referenced the SHARE Program and
15 again, the Inquiry has heard evidence about the SHARE program.
16 Do you know what SHARE does?

17 **A.** The SHARE portal is a place where you can go in and
18 you can view the different health records if you're in different
19 parts of the province even if you don't have access to them.

20 **Q.** So if somebody is in a part of the Western Zone in
21 southwestern Nova Scotia and has access to MEDITECH but is
22 looking for information in the Central Zone, it's the SHARE

PATRICK DAIGLE, Cross-Examination by Mr. Rogers

1 system that allows them to access some information on the
2 OneContent system. Is that your understanding?

3 **A.** That is my understanding, yes.

4 **Q.** Okay. And again, I think we'll hear more about that
5 tomorrow.

6 Lastly, you referenced the Sydney satellite clinic, of the
7 provincial OSI system. When you came on in your position, how
8 was that clinic operated and what have the changes been since
9 you started in your position?

10 **A.** When I arrived to the clinic, a team member or two
11 sometimes was flying for the day to Cape Breton in order to see
12 clients for individual sessions and I changed that. So I think
13 within three months I stopped that and I moved it to virtual or
14 in-person, using not just telehealth but also the Medeo platform
15 as well.

16 So I did a lot of engagement with our partners,
17 presentations and engagement with my team in order to kind of
18 start normalizing virtual care as well.

19 And then by July ... so I started in February, by July I
20 started the engagement in Cape Breton with the key stakeholders,
21 so Veterans Affairs, the military, and OSIS in Cape Breton, and
22 then engaging my national network in order to get funding to

PATRICK DAIGLE, Cross-Examination by Mr. Rogers

1 start building the clinic in Cape Breton.

2 Q. Does that building of the clinic include the hiring of
3 clinicians who are based in the Cape Breton ... in the Sydney
4 area?

5 A. Yes. So when the clinic opened it started with one
6 social worker, knowing that the rest of the treatment, psych
7 assessments and GP, everything would be virtually or in-person
8 still from Dartmouth as it is now, and now there is two full-
9 time social workers and an administrative assistant in the area,
10 and the .5 vacancy for a psychologist.

11 Q. And has that growth in the clinicians been accompanied
12 by a similar accompanying growth in the clients that are coming
13 into the clinic?

14 A. Yes. Oh yes. Build it and they will come.

15 Q. And I assume those are based in the Cape Breton area?

16 A. Yes, anyone that's going in to the Cape Breton area is
17 seen in Cape Breton.

18 Q. Okay. Thank you.

19 A. You're welcome.

20 **THE COURT**: Thank you, Mr. Rogers. Anything further,
21 Mr. Russell?

22 **MR. RUSSELL**: Nothing, Your Honour.

PATRICK DAIGLE, Cross-Examination by Mr. Rogers

1 **THE COURT:** All right. Thank you.

2 Well, it's turned out to be a bit of a longer day than
3 everyone expected.

4 Mr. Daigle, we appreciate your attendance today and the
5 time you've put in to prepare and review the documents before
6 you appeared. It's very helpful to us to be able to understand
7 fully the intricacies of the intake and the sharing of
8 information, and I certainly appreciate the comments that you
9 said about One Person-One Record/One Record-One Person. I
10 appreciate how that will all work out. And I think we're going
11 to hear some evidence about that tomorrow or what the plans are
12 in that regard as well. You may be able to tune in on the
13 webcast tomorrow and be informed. Anyway, we very much
14 appreciate your time. Thank you for coming.

15 **A.** Thank you, Your Honour.

16 **THE COURT:** All right. Thank you. Stay well.

17 **WITNESS WITHDREW (16:50 HRS.)**

18 **THE COURT:** We'll adjourn for the day until tomorrow at
19 9:30 then. Thank you.

20

21 **COURT CLOSED (16:50 HRS.)**

22

CERTIFICATE OF COURT TRANSCRIBER

I, Margaret Livingstone, Court Transcriber, hereby certify that the foregoing is a true and accurate transcript of the evidence given in this matter, **re Desmond Fatality Inquiry**, taken by way of electronic digital recording.



Margaret Livingstone

(Registration No. 2006-16)

Verbatim Inc.

DARTMOUTH, NOVA SCOTIA

April 5, 2021